

IN THE COURT OF COMMON PLEAS
FIRST JUDICIAL DISTRICT OF PENNSYLVANIA
CIVIL TRIAL DIVISION

- - -

EDDIE PARKS :
:
- vs - :
:
TEMPLE UNIVERSITY : NO. 1906005457
HOSPITAL, INC. and TEMPLE :
PHYSICIANS, INC. and :
MATTHEW LOREI, M.D. and :
PHILIP MATTHEW, PA :

- - -

JURY TRIAL

- - -

City Hall
Courtroom 643
Philadelphia, Pennsylvania
Thursday, May 4, 2023

- - -

BEFORE: THE HONORABLE JAMES C. CRUMLISH, III, and Jury

APPEARANCES:

STROKOVSKY LLC
BY: JORDAN STROKOVSKY, ESQUIRE
Counsel for the Plaintiff

MARSHALL DENNEHEY WARNER
COLEMAN & GOGGIN
BY: E. CHANDLER HOSMER, III
Counsel for the Defendants

LOUISE M. ZINGLER, RPR, RMR
OFFICIAL COURT REPORTER

I N D E X

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

PLAINTIFF'S EVIDENCE**WITNESS:**

LISBON EDDIE PARKS

BY: Mr. Strokovsky - Direct

PAGE

42

MARY ANN MIKNEVICH, M.D.

BY: Mr. Strokovsky - Direct - Voir Dire

61

Direct

73

BY: Mr. Hosmer - Cross - Voir Dire

69

Cross

202

1 (Jury enters courtroom at 9:41 a.m.)

2 THE COURT: Welcome back to
3 Courtroom 643 and I hope you had a good night.

4 So as you know, all of you have been
5 selected to be members of this jury. That
6 means that the Court, the plaintiff and the
7 defense have all concluded that each of you
8 will be fair and impartial jurors in this
9 case, and that's a complement to you. The
10 service you render as jurors in this case are
11 as important to the administration of justice
12 as those rendered by myself, the attorneys and
13 all the people that are going to appear before
14 you. So, please, pay close attention to
15 everything that is said and done in this
16 courtroom. So that you may perform your
17 duties well.

18 Now, I'm going to describe for you
19 in a general way what will take place during
20 this trial.

21 First, the plaintiff's lawyer will
22 make an opening statement in which they will
23 outline plaintiff's case against the
24 defendants. The defendants' attorney may
25 choose to make a statement outlining their

1 defense either immediately following the
2 plaintiff's opening or later in the trial.

3 The plaintiff's lawyer goes first
4 because they have the burden of proof, which I
5 will discuss in greater detail.

6 Once the lawyers have made their
7 opening statements, then each party is given
8 an opportunity to present their evidence.
9 Plaintiff's lawyer will present evidence in
10 support of their case and they will call
11 witnesses to testify and may offer exhibits
12 such as documents, graphs, charts or physical
13 objects.

14 Defense also has the right to
15 cross-examine witnesses called by the
16 plaintiff in order to test the truthfulness
17 and accuracy of that testimony.

18 Now, after the plaintiff's lawyer
19 has presented plaintiff's case, defense
20 counsel may present evidence for the
21 defendant. However, there is no obligation
22 for the defendant to offer evidence or even to
23 testify. The plaintiff's lawyer may, of
24 course, cross-examine any witness called by
25 the defense.

1 Now, after all the evidence is
2 presented, counsel for each side will have an
3 opportunity to make their closing arguments to
4 you. I will then give you my final
5 instructions on the rules of law that apply to
6 this case and whatever additional guidance
7 that I think you need for your deliberations.
8 You will then retire to the jury room to
9 deliberate and decide what the verdict will
10 be.

11 At the end of the trial you must
12 make your decision based upon what you recall
13 of the evidence. You will not have a written
14 transcript to consult. It's difficult and
15 time-consuming for the court reporter to have
16 to read back and play lengthy testimony, so I
17 urge you to pay close attention to the
18 testimony as it is given.

19 If at any point during the trial you
20 cannot hear or understand a witness, a lawyer
21 or even myself, or see an exhibit, please let
22 us know immediately and we will take care of
23 that.

24 Now, while some of you may have
25 questions that you'd like to ask a witness,

1 that's not permissible in this proceeding.
2 You will have to decide the case based upon
3 the answers given by the witnesses to the
4 lawyer's questions. The law in evidence
5 places limitations and guidelines on lawyers
6 that is enforced by the Court, and, therefore,
7 there may be a valid reason why a certain
8 question was never asked or why certain
9 evidence was not introduced.

10 As I told you before, it is my
11 responsibility to decide all questions of law
12 during the trial. You must follow my rulings
13 and instructions on matters of law whether or
14 not you agree with them.

15 I'm likely to give other
16 instructions as may be necessary during the
17 trial in addition to these instructions and my
18 final charge. All of my instructions
19 constitute the law that you must follow. I
20 am, however, not the judge of the facts. You,
21 the jurors, are the only judges of the facts.
22 It will be your responsibility at the end of
23 the trial when you deliberate to evaluate the
24 evidence and from that evidence decide the
25 facts. You will apply the rules of law that I

1 give you to the facts as you find them and
2 decide whether the plaintiff has met their
3 burden of proving the elements of their claim
4 that would entitle them to relief.

5 In order for you to decide the facts
6 of this case, you're going to have to judge
7 the credibility and weight of the testimony
8 and other evidence. By "credibility," I mean
9 the truthfulness or accuracy of what is being
10 said or shown to you. By "weight," I mean the
11 value or importance that you give to the
12 testimony or the evidence.

13 When you judge the credibility and
14 weight of a witness' testimony or evidence
15 presented, use your understanding of human
16 nature and your common sense. Observe each
17 witness as they testify. Be alert for
18 anything in the witness' testimony or behavior
19 or anything else in evidence that might help
20 you judge the truthfulness and accuracy of
21 that testimony.

22 Now, as I have told you before, you
23 must keep an open mind throughout this trial.
24 You should avoid forming opinions about any
25 disputed question until the end of the trial

1 and the matter is put to you and you begin
2 your deliberations.

3 Please don't talk to each other
4 about the evidence or any other matter
5 relating to your verdict until I send you to
6 the jury room to begin your deliberations.
7 Only then will you know enough about the
8 evidence and the law to discuss this case
9 fully, fairly and intelligently.

10 Of course, you should not talk to
11 anyone else about this case, including your
12 family members, people at home, your friends,
13 or God forbid anyone on social media or
14 Internet sites, as I told you before.

15 I'm going to stress that you must
16 not use electronic devices, computers or
17 conduct any independent research or
18 communicate in any way during the trial about
19 the trial. That includes posting information
20 in websites and the like.

21 I'd also caution you not to send or
22 receive any messages, including e-mails and
23 texts, about your jury service during this
24 trial. You must not disclose your thoughts
25 about your jury service or ask for advice to

1 anyone until you have met with your fellow
2 jurors to deliberate on the facts and evidence
3 that you've seen and heard in this courtroom.

4 Also, as I may have told you before,
5 there are some people that you must avoid even
6 some casual conversations even if those
7 conversations have nothing to do with the
8 case. These people are, for example, the
9 parties, the lawyers for each side, the
10 witnesses and even myself. These people have
11 an obligation to allow you to be free and fair
12 in your evaluation of the evidence. So,
13 please, don't take it as a moment of
14 disrespect if they don't respond to a comment
15 or a common courtesy that we are all
16 accustomed to giving to each other.

17 If you're approached by anyone or if
18 you hear or see something that you think you
19 should not have heard, please, don't speak to
20 other jurors about it and, please, notify Ms.
21 Sweeney or myself and we'll take care of it.

22 Now, importantly, I will remind you
23 statements made by counsel are not evidence.
24 The questions that counsel put to the
25 witnesses are not evidence. It is the answers

1 to the questions by the witness that provide
2 the evidence to you. You should not speculate
3 or guess that a fact may be true merely
4 because one of the lawyers has asked a
5 question which assumes or suggests a fact to
6 be true.

7 Now, again, I will remind you that
8 sometimes there will be objections to
9 questions asked by counsel. When a lawyer
10 asks a question or offers an exhibit into
11 evidence and one of the lawyers objects, I
12 must rule on that objection. If I overrule
13 the objection to the question, you may
14 consider the answer. If, however, I sustain
15 the objection, that means I will not allow the
16 answer to be given, and if one has already
17 been given, I may direct that you disregard
18 them and then you must do so.

19 At different points during the trial
20 counsel and I may need to deal with certain
21 matters outside of your hearing. I emphasize
22 that will be rare if ever it happens.
23 However, please don't speculate as to why we
24 are doing that. We may be dealing with
25 questions regarding admissibility of evidence

1 or arguments regarding legal issues that under
2 the law must be addressed amongst counsel and
3 the judge without the jury present.

4 So, please, don't concern yourselves
5 about that, and as I said, we will do it as
6 infrequently as possible.

7 If one of these matters comes up,
8 counsel and I may discuss it on the other side
9 of the bench or briefly stepping outside of
10 your presence.

11 Now, as you know, you have been
12 given notepads and pens for each of you in the
13 event you wish to take notes during the trial.
14 You're under no obligation to take notes and
15 it's entirely up to you whether to take notes
16 to help you remember what witnesses said and
17 to use during your deliberations.

18 If you do take notes, remember that
19 it is one of your most important
20 responsibilities as a juror to observe the
21 demeanor of witnesses to help you assess their
22 credibility. Please don't been so involved
23 with note-taking that it may interfere with
24 your ability to observe a witness or detracts
25 from your hearing of the questions being asked

1 and the witness' answers being given by the
2 witnesses.

3 Your notes may help you refresh your
4 recollection of the testimony and should be
5 treated as a supplement rather than a
6 substitute for your memory.

7 Again, your notes are to be used
8 only as memory aids and should not be used, as
9 I said, as a substitute.

10 The Court will also give
11 instructions by Ms. Sweeney or my staff as to
12 restrooms and whatnot that you're permitted to
13 use during the trial. It's important that you
14 pay attention to those instructions.

15 Please remember, as I have told you
16 before, to wear your juror badges in a
17 conspicuous place at all times during the
18 trial while you're either in the courtroom or
19 the courthouse.

20 Now, counsel may give opening
21 statements, telling you what they expect to
22 prove at trial. The opening statements, as
23 with any other statements by counsel, don't
24 constitute evidence. You're not to consider
25 these opening statements as established facts.

1 The only purpose of the opening statement is
2 to give you a general outline of the case and
3 what it's about so you will have a better
4 understanding how each piece of evidence fits
5 into it, subject, of course, to your
6 evaluation of the evidence as to its
7 credibility, its accuracy and weight.

8 You're not to conclude that counsel
9 will necessarily be able to prove what they
10 say they expect to prove, nor that the Court
11 will necessarily permit such evidence to be
12 introduced.

13 Now, in conclusion, these
14 preliminary instructions are complete, and as
15 I have indicated earlier, counsel for
16 plaintiff now may present an opening
17 statement.

18 Thank you for your attention.

19 Counsel, you may.

20 MR. STROKOVSKY: Permission to
21 approach the podium?

22 THE COURT: You may proceed,
23 Counsel.

24 MR. STROKOVSKY: It's January 22,
25 2019. Eddie Reginald Parks is being taken

1 back for surgery at Temple University
2 Hospital. His right leg is about to be
3 amputated through his knee. An incision is
4 made through his skin and tissue at his knee
5 and through his ligaments and joint at the
6 knee until the lower part of his leg is
7 removed and disposed of. Just like that,
8 everything changed.

9 Eddie was an active 27-year-old. He
10 had a job in the medical industry. He was a
11 certified nurse's assistant. He had a passion
12 for cooking and he had dreams and goals to
13 pursue that passion and one day make it a
14 career. And he had future plans with his
15 girlfriend Bree. They just found out that
16 Bree was pregnant. Eddie was going to be a
17 dad.

18 This amputation took Eddie's prime
19 from him and replaced it with chronic pain,
20 hardship and strained relationships with his
21 family, his friends and his son. He will
22 always be without his leg. He will always be
23 disfigured. And he will always be haunted by
24 what happened to him.

25 Eddie Parks' leg was cut off because

1 of the medical malpractice by defendants,
2 Temple University Hospital, Incorporated and
3 Defendant Dr. Lorei. No corporation or
4 physician is above the law. The defendants
5 must be held accountable for shattering this
6 young father's life.

7 Good morning, ladies and gentlemen
8 of the jury. This here is trial technician
9 Lee Bitman. My name once again is Jordan
10 Strokovsky. And I have the honor and
11 privilege of representing the plaintiff in
12 this case, Eddie Reginald Parks.

13 It's been almost four and a half
14 years since he lost his leg. He's waited a
15 long time to be here. An we can't thank you
16 enough, ladies and gentlemen of the jury,
17 because without you, none of this is possible.
18 You, as the jury, serve as the conscience of
19 our community. And it is you, the jury, that
20 will hold defendants accountable.

21 Now, this trial is different than
22 most personal injury trials. Most cases it
23 would be my job, plaintiff, to prove that the
24 other side, the defendant, made mistakes and
25 there were injuries and harm as a result. But

1 now today defendants admit liability. That
2 means they admit fault and they admit that
3 their malpractice, their negligence caused Mr.
4 Parks to lose his leg. That will not be an
5 issue at this trial. The sole issue at this
6 trial is determining a fair and full
7 accounting of everything that Mr. Parks has
8 lost and everything that he will be dealing
9 with for the rest of his life.

10 This, as you know, this is a civil
11 case. As you know, you don't have the ability
12 to give Mr. Parks his leg back. But you do
13 have the ability to give him justice by
14 delivering a verdict that fairly and fully
15 accounts for every bit of his loss. And at
16 the end of this trial you will be instructed
17 that you must fully and fairly compensate Mr.
18 Parks for all of his past and future pain and
19 suffering, embarrassment and humiliation, loss
20 of life's pleasures and disfigurement. And
21 all of his future medical costs.

22 And so to understand this trial, Mr.
23 Parks' pain and suffering, you're going to
24 learn about who he was before all this
25 happened. You're going to hear about his

1 horrific experience at Temple University
2 Hospital and you're going to hear about how he
3 has been fighting to live his life every
4 single day since.

5 So let's get started.

6 Prior to this, Eddie was an
7 otherwise healthy and active 27-year-old, did
8 normal things 27-year-olds do. Go out with
9 friends, be outside, play sports. Once in a
10 while ride his bike, go for a walk. And as I
11 already mentioned, he was a certified nursing
12 assistant. He got into that field because his
13 mom had that job before and Eddie really
14 enjoyed it. It was fulfilling work for him.

15 Eddie before all this very much so
16 was a happy-go-lucky guy, a very much so
17 nurturing person and he enjoyed helping people
18 and he enjoyed stories that he would hear from
19 his patients and the experience that they
20 would give him, the wisdom. And he also would
21 treat amputees, which is a bit ironic being
22 here today.

23 Now, Eddie got into being a CNA from
24 his mom --

25 MR. HOSMER: Your Honor, I

1 apologize, I have to object here.

2 THE COURT: Overruled. You can be
3 seated.

4 MR. HOSMER: There is no claim --

5 THE COURT: I beg your pardon.
6 Counsel, please don't respond with some
7 editorial comment after I make a ruling.

8 MR. HOSMER: Okay.

9 MR. STROKOVSKY: So Eddie got into
10 being a CNA from his mom. His passion was
11 cooking and he got that from his dad. Eddie
12 loved to cook. And about a year before all
13 this happened, he would start selling platters
14 in his neighborhood. He wasn't making any
15 money off of it, but he was on the verge of
16 becoming something. And it was his dream, it
17 was his plan to be successful enough with the
18 platters so he could get a food truck, and
19 from a food truck of getting a
20 brick-and-mortar restaurant.

21 And, also, at the time, or prior to
22 everything happening with Temple, Eddie was in
23 a relationship with his girlfriend Breeanca,
24 who we call Bree. They had a solid
25 relationship, and one month before coming to

1 Temple Bree and Eddie found out that Bree was
2 pregnant. Eddie was going to be a dad.

3 He was naturally excited, blessed
4 and they had plans. Eddie's got to work more.
5 He doesn't want Bree to work while she's
6 pregnant. Eddie has to pick up his cooking.
7 Eddie has got to make moves to be there for
8 his family, to build a family for the future.
9 So everything was mapped out. Keep working
10 hard, help people, keep cooking and be the
11 rock for his family and for his baby.

12 But then in late December 2018,
13 everything he knew would be shattered.
14 Defendants Temple and Dr. Lorei, they admit
15 fault. And their negligence caused Mr. Parks
16 to wake up in early January and see that the
17 leg injury that he came into was not fixed,
18 rather it was a lot worse. Part of his leg,
19 his muscles started to die and he had two
20 large open incisions on his leg, some over a
21 foot long and at one point 6 inches deep, and
22 for three weeks before his amputation, he
23 would be stuck in a hospital bed, looking at
24 his leg sliced open like that. And you will
25 see a picture of what his leg looked like in

1 that hospital bed.

2 And during those three weeks, his
3 leg, his muscle would continue to die more and
4 more and more. But he was still hopeful that
5 the leg could be saved. And during this time
6 period, he underwent six debridement and
7 washout procedures. That's a procedure where
8 he's intubated. He is taken back for surgery.
9 They wash out his wounds and they remove dead
10 tissue.

11 After removing dead tissue, after
12 dead tissue, after dead tissue, after fighting
13 to save his leg, being hopeful that his leg
14 will be saved, he is given the news: Mr.
15 Parks, you have two options. Either your leg
16 is amputated or you're going to die.

17 Sadly, Mr. Parks had to choose to
18 lose his leg. He wasn't going to give up on
19 his son.

20 And then he wakes up from his
21 amputation surgery that happened on January 22
22 and he sees his leg is gone. There is horror.
23 There is loss. There is grief. And he is in
24 pain for the three weeks prior. He's in
25 severe pain with his leg sliced open like

1 that.

2 After the amputation, he is still in
3 severe pain. But it's a little bit different.
4 He has what is called "residual limb pain,"
5 which is pain in part of his leg that still
6 remains, starting at the stump and going up
7 the rest of his leg.

8 He also noticed almost immediately
9 after his surgery something called "phantom
10 limb pain." That's where you actually feel
11 the leg that is no longer there. Mr. Parks,
12 Eddie, can feel his toes wiggling that are no
13 longer there. That's why they call it phantom
14 limb pain. It's like a ghost. It's a
15 supernatural experience.

16 And you will hear in this case that
17 where this amputation was performed is
18 significant. It was amputated through the
19 knee. Typically, amputations are either below
20 the knee or above the knee. Eddie's case it
21 was through the knee, and through-the-knee
22 amputees are essentially considered
23 above-the-knee amputees for purposes of what
24 type of prosthetic you need. And that's
25 important because below-the-knee amputees --

1 don't get me wrong, any leg amputation is
2 horrific and catastrophic -- but
3 below-the-knee amputees it's easier to get
4 prosthetics. It's easier to move around. But
5 in Eddie's case, again, he's through the knee
6 so he's considered an above-the-knee amputee
7 and it makes it much more difficult for the
8 type of prosthetic that he needs and for
9 moving around. You're going to hear about
10 that from our expert who is a physiatrist who
11 devotes her life work to amputees, Dr. Mary
12 Ann Miknevich.

13 You're also going to see a photo of
14 Eddie Parks' limb just after the surgery. You
15 will see the extensive incisions. And not
16 only is it horrible to look at, but as Dr.
17 Miknevich will explain, it's also a
18 significant source of scar tissue and
19 something called "neuromas" because there are
20 so many nerves around the knee and all of them
21 were cut and all of those cut nerves are
22 sources for pain.

23 The phantom limb pain I just
24 mentioned Eddie has been dealing with that
25 ever since, ever since he's been dealing with

1 that. And what is worse than that, though, is
2 his residual limb pain. That pain for him has
3 been a constant. He can always feel it. He
4 is essentially always in pain. It's just a
5 matter of degree. Is it manageable where he
6 can try to put on a smile and try to do
7 things? Is it bad where he is very limited in
8 what he can do? Or is it unbearable where at
9 times it brings him to a point where he
10 doesn't want to do anything but just roll in a
11 ball in his bed and hope the pain goes away
12 while rubbing his limb.

13 After his amputation, Eddie was
14 still at the hospital for about another two
15 and a half weeks. Those were a tough two and
16 a half weeks that he had to endure every day
17 at the hospital. And then he got to leave.

18 He was excited to leave. He wanted
19 to get out of Temple University Hospital. But
20 he was also scared. What was he going to do?
21 What was going to go on with his life? How is
22 he going to take care of Bree and their baby
23 and work? How is he going to move around?

24 For the first year, Eddie didn't
25 have a prosthetic. So he went home and he

1 basically went from being a fully active
2 independent person to being a child again,
3 almost like a baby at first. Couldn't move.
4 He needed his girlfriend or his mom or others
5 to help him get in the bathtub, to help him go
6 to the bathroom. He couldn't go downstairs to
7 get food or water. He essentially had to rely
8 on everyone for most activities of daily
9 living, and there were times where nobody
10 would be around.

11 Still dealing with the pain, still
12 having issues sleeping every night, he has
13 issues sleeping, basically. It's something he
14 still deals with today. It's because of the
15 pain.

16 And Bree, she's pregnant during this
17 whole time. So, again, instead of him being
18 able to help Bree, make Bree's life easier,
19 Bree now has to help him. Eddie can't even go
20 to doctor's appointments with Bree.

21 But Zahir, who Eddie nicknamed
22 Ziggy, he's born in August of 2019. That's a
23 great moment. Such a blessed day. And Ziggy
24 is his life. It's his pride. But there are
25 issues right away with that. Because Eddie

1 wants to be the best possible dad he can, and
2 he is being the best possible dad he can be.
3 But because of his amputation, there are a lot
4 of things he can't do that he would otherwise
5 like to, including when his baby is crying in
6 the middle of the night, Eddie can't get out
7 of bed and go over to the baby and rock the
8 baby to sleep. Bree has to do it.

9 So Eddie is getting around. He is
10 hopping on one foot, and you will hear Eddie
11 had a fair amount of falls during that first
12 year.

13 So 2019, had some bright moments,
14 still an incredibly painful and dark year for
15 Eddie.

16 2020, there are some progress.
17 There is some hope. The swelling and
18 incisions of his leg are healed, and he goes
19 through the process later in 2019, to get
20 approved for his first leg. So in 2020, end
21 of 2019, early 2020, he gets casted, his limb
22 gets casted and he gets his first prosthetic
23 leg. It's very limited, though, in its use.
24 Eddie is uncomfortable wearing it, thinks he
25 looks like a peg-leg.

1 And it's tough. You have to learn
2 how to use the prosthetic. So he goes to
3 physical therapy. Reaches a point where he
4 can use the prosthetic and so he has some
5 mobility, but not much. He is walking maybe
6 four blocks before he is in pain and can't
7 walk anymore.

8 Still not working. He is still lost
9 without purpose in his life and he still feels
10 stuck and bored.

11 In 2021, he does move on to a more
12 advanced leg and he gets a new leg and goes
13 through that whole process all over again.
14 Gets fitted for it. Goes through physical
15 therapy. And now, give or take, two years
16 later, his mobility granted is a lot better
17 than it once was, but it's still significantly
18 limited. Eddie can walk about 25 minutes at a
19 time before he needs to rest, sit down, take
20 some medicine for his pain. Still having pain
21 constantly. He is still having sleep issues.
22 Still has very limited endurance. There are
23 days where he can't do much of anything
24 because he's in so much pain. There are other
25 days where he tries to be as active as

1 possible, but then he becomes too active and
2 that's a source of pain. Then there are times
3 where the weather kicks in and that's another
4 source of his pain.

5 Still not working. Not able to cook
6 the way he used to. Does care for his son.
7 Takes care of his son, but at times not as
8 well as he would like.

9 And in this case, you're going to
10 hear from Eddie, his parents. You're going to
11 hear from Bree. You will hear about his pain
12 and suffering, what it felt like to have this
13 happen to him. To not be able to work or
14 pursue his dream of cooking, his strained
15 relationships between his family and friends
16 and with his son and his mood. He still tries
17 to be a happy-go-lucky guy and put on a smile,
18 but there are times he just can't. His
19 patience sometimes is shot. He gets irritable
20 easily. He doesn't want to do that. It gives
21 him shame when that happens, but it's just
22 tough for him to deal with the pain and
23 limitations and lack of sleep every single
24 day.

25 And in this case you're going to

1 hear from, as I mentioned, Dr. Miknevich.
2 She's what is called a "physiatrist." Her
3 life's work is serving amputees. She's been
4 doing it for almost 40 years.

5 So she evaluated Eddie. She talked
6 with Eddie. She reviewed Eddie's treatment
7 records. She came up with a list of
8 conditions that Eddie has because of his
9 amputation. And she did what she does with
10 her patients, which is provide an outline of
11 future recommendations of medical care that
12 Eddie is going to need for the rest of his
13 life. He's going to need prosthetics for the
14 rest of his life. He will need to follow up
15 with doctors for the rest of his life. Get
16 some testing done for the rest of his life.
17 Need some procedures to hopefully help reduce
18 some of his pain later in life.

19 And this case is for Eddie's entire
20 life. He's expected to live into his 70s.
21 He's 32 right now. So when he reaches the age
22 of 60, he will start needing some help in the
23 home because of his amputation.

24 And you're also going to hear from a
25 life care plan expert. That's Alex Karras.

1 What he does is take the future
2 recommendations by Dr. Miknevich and he prices
3 it out. So you will hear from him tomorrow.

4 And now the value of Eddie's medical
5 care is all adjusted to future medical costs.
6 It's adjusted to inflation, which I'm sure
7 everyone has been hearing a lot of in the news
8 lately. So we will bring in an economist,
9 Andrew Verzilli. He will take the present day
10 value that Alex Karras provides and map out
11 what that value is over the next 40-plus
12 years. And that value is approximately \$6
13 million for future medical care.

14 You might be wondering if they admit
15 fault, they admit they caused this amputation
16 and debridement procedures, why are we here?
17 What are they going to argue? There is no
18 dispute that he's forever disfigured. I don't
19 think they're going to dispute that he will be
20 in pain. They're not going to dispute he
21 needs prosthetics for the rest of his life. I
22 presume they will claim his pain isn't as bad
23 as the plaintiff says it is, or maybe they'll
24 cite to some of the hurdles he has overcome
25 over the last four-plus years, like he's more

1 active now than he was at the beginning. He
2 can drive. He can ride a bike. Once he did,
3 at least around the block. He can take care
4 of his son to some degree. And sometimes he
5 can wear his leg all day. And he goes on a
6 trip once year.

7 But it's a nice thought thinking
8 that Eddie is just going to get better and
9 better over time, but I submit after you hear
10 the evidence in this case, you realize that's
11 not what is going to happen. And I urge you
12 to use your common sense when evaluating the
13 recommendations of the plaintiff's experts and
14 the defense experts.

15 But I also want to point out that
16 the expert testimony is really just about
17 primarily one component of this case, which is
18 future medical costs. But there are several
19 more components for you to consider, which is
20 his lifetime of pain and suffering,
21 embarrassment and humiliation, loss of life's
22 pleasures and disfigurement, as well as those
23 things for the last four-plus years.

24 Eddie Parks was wronged by Temple
25 University Hospital and Dr. Lorei. We are not

1 asking you to punish them. We are not asking
2 you for a handout. All we are asking is for
3 an honest and thorough assessment of
4 everything that he has gone through and
5 everything that he will go through for the
6 rest of his long life.

7 And not only is doing that your duty
8 under the law as a juror, but by doing that,
9 you are telling Mr. Parks that he is deserving
10 of justice, and you are telling Eddie Parks
11 and defendants in this case no one is above
12 the law and defendants are being held
13 accountable for shattering this young father's
14 life.

15 Thank you.

16 THE COURT: Thank you, Counsel.

17 Counsel, you may address.

18 MR. HOSMER: Thank you, Your Honor.

19 Good morning, ladies and gentlemen.

20 I think everyone who is sitting here
21 is old enough and experienced enough to know
22 that whenever human beings get involved in an
23 endeavor, mistakes can be made. We see it in
24 our families, our governments, our churches,
25 and despite all of the successes we hear from

1 time to time through the media in medicine,
2 medical providers do make mistakes.

3 I'm here on behalf of Dr. Lorei and
4 Temple University Hospital to tell you today
5 that as Mr. Strokovsky correctly pointed out,
6 on December 31, 2018, Dr. Lorei did not in a
7 timely fashion adequately appreciate the fact
8 that Mr. Parks had a popliteal artery injury
9 that unfortunately cut off the flow of blood
10 to his lower extremity, and because of that,
11 he did have to undergo an amputation.

12 Dr. Lorei regrets the mistake was
13 made. And on his behalf, I extend his
14 sympathies to Mr. Parks and his family for all
15 of the difficulties that he has gone through.

16 You may, as Mr. Strokovsky said, ask
17 yourselves why are we here if there has been
18 an admission of a mistake, as well as the fact
19 that it resulted in an amputation. Well, the
20 reason is, ladies and gentlemen, there is
21 another aspect to any kind of personal injury
22 case, and that is that even if there is a
23 mistake and an admission of a mistake, there
24 still has to be a determination as to what
25 constitutes fair and adequate compensation.

1 And that is what, I believe, Judge Crumlish
2 will charge you on at the conclusion of this
3 case.

4 It's you, the jury, that has to
5 determine what constitutes fair and adequate
6 compensation for Mr. Parks because it's
7 something that the plaintiff and the defendant
8 cannot agree on. We agree on the mistake
9 being made unfortunately. We agree that it
10 unfortunately resulted in an amputation. But
11 we can't agree as to what the law requires you
12 to determine what constitutes fair and
13 adequate compensation.

14 So to that end, ladies and
15 gentlemen, you're going to hear, as
16 Mr. Strokovsky pointed out, you're going to
17 hear from the plaintiff and some of his family
18 members and some of his experts, and in all
19 likelihood you will hear from experts on
20 behalf of the defendants. You're going to
21 hear from a physiatrist, a physical medicine
22 and rehabilitation doctor by name of Frank
23 Sarlow. He's Board certified in psychiatry and
24 practices in the Philadelphia area;
25 specifically, in Newark and Wilmington,

1 Delaware.

2 You will probably hear from a life
3 care planner by the name of Kathleen Kuntz and
4 an economist individual with a doctorate in
5 economics by the name of Gerard Olson.

6 The point of all that, ladies and
7 gentlemen, from Mr. Strokovsky and myself will
8 be, again, to give you the facts in order to
9 make a determination as to what constitutes
10 fair and adequate compensation. That may at
11 times, ladies and gentlemen, require me,
12 perhaps Mr. Strokovsky, to ask pointed or
13 sharp questions of the witnesses in the case.
14 Please understand that if that happens, sharp
15 or pointed questions have to be asked, it's
16 not because we don't think that Mr. Parks
17 doesn't deserve fair and adequate compensation
18 he does. It's not because we don't have
19 sympathy for Mr. Parks, because we do. But in
20 order for you sometimes to get all the facts
21 necessary, it's necessary to ask questions of
22 opposing witnesses so everything is brought
23 out so you can hear everything that there is
24 to hear in order to make that determination as
25 to what constitutes fair and adequate

1 compensation.

2 I hasten to add in light of
3 Mr. Strokovsky's comment there is no claim, we
4 have an agreement with the plaintiff there is
5 no claim here for loss of earnings or lost
6 future earning capacity or past lost earnings.

7 So what is the evidence that you're
8 going to hear from the defense side? Well,
9 ladies and gentlemen, as I said, you're going
10 to hear from Dr. Sarlow. What Dr. Sarlow will
11 tell you, among other things, ladies and
12 gentlemen, is that among the various knee
13 amputation procedures that are available, what
14 Mr. Parks had was a right knee
15 disarticulation. Of the procedures that are
16 available that, obviously, no one wants to
17 have a leg amputated, but the more
18 advantageous, for lack of a better word, this
19 is a more advantageous procedure in the sense
20 that it retained more bone, muscle and tissue
21 than some of the other amputation procedures
22 that are available.

23 Why that is important, ladies and
24 gentlemen, is it can lead to, and more often
25 than not does, greater functionality on the

1 part of the amputee.

2 That's what you're going to hear
3 from the witnesses for the defense concerning
4 Mr. Parks' functionality. He has regained,
5 ladies and gentlemen, a fair amount of
6 functionality. You're going to hear that from
7 our witnesses.

8 Dr. Sarlow, ladies and gentlemen,
9 will tell you that he examined Mr. Parks on
10 two occasions; one back in 2021, and another
11 time about three or four weeks ago, two or
12 three weeks ago. He will tell you, ladies and
13 gentlemen, that he reviewed the medical
14 records of Mr. Parks, and he will tell you,
15 ladies and gentlemen, that Mr. Parks has had a
16 physiatrist managing him since 2019, by the
17 name of Bradley Tucker at Penn.

18 He will tell you, ladies and
19 gentlemen, that he agrees with almost
20 everything that Dr. Tucker -- you will hear
21 Dr. Tucker's records, all of the conclusions
22 that Dr. Tucker, the managing physician for
23 Mr. Parks, believes to be true.

24 You will hear that Mr. Parks is a K3
25 ambulator. You will hear Dr. Sarlow and

1 perhaps Dr. Miknevich describe to you what a
2 K3 ambulator is.

3 Ambulation, ladies and gentlemen, as
4 I understand it, is rated on a scale of zero
5 to four. It's called K0 to K4. K0 being
6 unable to walk and K4 being an individual who
7 can participate in high energy and high impact
8 kinds of activities, mountain climber,
9 sporting, heavy impact sports.

10 Mr. Parks has been rated by
11 Dr. Tucker and by Dr. Sarlow as a K3
12 ambulator. K3, obviously, is one step below a
13 K4 ambulator, and K3 ambulator, I think the
14 state-of-the-art definition means that it's an
15 individual who has the ability to traverse the
16 environmental barriers that we encounter on a
17 day-to-day basis; specifically, hills, ramps,
18 curbs, steps, that kind of thing, at varying
19 degrees of speed and cadence.

20 You will hear, ladies and gentlemen,
21 that Mr. Parks has what is called a "K3
22 microprocessor prosthesis." It's one of those
23 state-of-the-art, very sophisticated, very
24 advanced prosthesis that actually is
25 programmable to allow the amputee to perform

1 all sorts of activities with his prosthesis,
2 including going to the gym, jog on a
3 treadmill, swimming, and all the other things
4 that Mr. Parks, you will hear, has been able
5 to do.

6 He's been able to go on vacations;
7 las Vegas, New Orleans, Atlantic City,
8 Florida. He goes to the gym. He jogs on a
9 treadmill. Does aerobics.

10 Dr. Tucker, you will hear, ladies
11 and gentlemen, has described Mr. Parks as
12 early as August of 2021 as, quote, highly
13 functional.

14 Dr. Sarlow will point out all of
15 those things in his records. He will also
16 tell you, ladies and gentlemen, that in his
17 opinion, to a reasonable degree of medical
18 certainty, Mr. Parks, thankfully, is capable
19 of performing all of the activities that he
20 was doing before the amputation currently.

21 You will hear, ladies and gentlemen,
22 also, from probably from our life care
23 planner, her name is Kathleen Kuntz. Just
24 like the plaintiff's expert life care planner,
25 Ms. Kuntz will come in and she will tell you

1 based on what Dr. Sarlow feels Mr. Parks will
2 need as to future medical care, what that care
3 is and what the present day cost of it is.

4 Dr. Sarlow will tell you that not
5 withstanding his high functionality,
6 unfortunately, Mr. Parks will need future
7 medical care. He has to have his prosthesis
8 replaced, I think, every five or seven years.
9 He will have to have some incidence of
10 physical therapy to accommodate that new
11 prosthesis each time he gets one. And the
12 life care planner will explain to you what
13 future medical care he will need and what the
14 present day cost of it is.

15 After that, you will probably hear
16 from Gerard Olson, a doctor in economics. Dr.
17 Olson will take the medical care that is
18 prognosticated by Dr. Sarlow and Ms. Kuntz and
19 apply economic principles to it, ladies and
20 gentlemen, and tell you what the cost of the
21 future medical care will be. Suffice it to
22 say, at this point, Ms. Kuntz' opinions
23 concerning the extent and duration of care
24 that Mr. Parks will need will be significantly
25 less than what Mr. Karras will prognosticate

1 for you with respect to future medical care.
2 And the numbers that you may hear from Dr.
3 Olson will be significantly less than what you
4 will hear from their economist, Mr. Verzilli.

5 Now, ladies and gentlemen, as I
6 pointed out to you before, and as
7 Mr. Strokovsky correctly pointed out, the
8 issue before you is what is fair and adequate
9 compensation in this case. As I pointed out
10 to you, Mr. Parks is highly functional. He is
11 not taking any pain medications. And it will
12 be your duty, as charged to you by Judge
13 Crumlish, to determine what is fair and
14 adequate compensation.

15 You probably heard me use, and it
16 was not unintentional, the term "fair and
17 adequate compensation" several times during my
18 past seven minutes here. Fair and adequate
19 compensation, ladies and gentlemen, will be
20 charged to you. I believe that's the language
21 that will be used by Dr. Crumlish. We kind of
22 know these things in advance.

23 The word "fair," ladies and
24 gentlemen, implies just that. Suggesting just
25 that fairness, as Mr. Strokovsky correctly

1 pointed out, it's not to punish. The idea is
2 to be fair, to be fair to Mr. Parks. We will
3 request that you be fair. That implies,
4 ladies and gentlemen, looking at the evidence,
5 evaluating it in an objective dispassionate
6 and analytic way. Devoid of sympathy and
7 overt emotion.

8 So I will return in my closing, I
9 will be back here in a couple of days, to ask
10 you to return a verdict for Mr. Parks that is
11 in accordance with the law: Fair and
12 adequate. That is fair to him, fair to Dr.
13 Lorei and adequate to compensate him for his
14 needs in the future.

15 Thank you for your time, patience
16 and attention.

17 THE COURT: Thank you, Counsel.
18 Counsel, you may call your first
19 witness.

20 MR. STROKOVSKY: Thank you, Your
21 Honor.

22 Plaintiff calls, we call plaintiff's
23 father, Lisbon Eddie Parks.

24 THE CRIER: State your name.

25 THE WITNESS: Lisbon, L-I-S-B-O-N,

1 Eddie Parks.

2 LISBON EDDIE PARKS, having been duly
3 sworn, was examined and testified as follows:

4 THE COURT: Counsel, you may
5 proceed.

6 - - -

7 DIRECT EXAMINATION

8 - - -

9 BY MR. STROKOVSKY:

10 Q. Good morning, Mr. Parks.

11 Thanks for being here.

12 A. Good morning.

13 Q. Who is this over here?

14 A. That's my son.

15 Q. Do you know why we are here?

16 A. Yes.

17 Q. Why are we here?

18 A. To get justice for my son.

19 Q. What is your relationship like with your son?

20 A. Excellent, very close.

21 Q. I want to talk a little bit about Eddie's
22 childhood and his upbringing.

23 Were you in his life when Eddie was growing
24 up?

25 A. Yes. Eddie was raised by a two-parent

1 household.

2 Q. Who is that?

3 A. That's his mother.

4 Q. Was Eddie active as a kid?

5 A. Eddie was always active. He was always out
6 and about doing something. Streets, friends. Just
7 was a happy-go-lucky guy.

8 Q. Did he play any sports as a kid?

9 A. I introduced him to baseball, soccer,
10 football, basketball, swimming. Things like a
11 normal kid would do.

12 Q. For soccer, do you remember if he was
13 left-footed or right-footed?

14 A. Right-footed.

15 Q. Would you play any of those sports with him.

16 A. Played them all with him.

17 Q. Were there any outdoor activities that the two
18 of you would do as father and son?

19 A. Yes.

20 Q. Could you share a couple of those activities?

21 A. We used to go fishing, hiking, bike riding,
22 swimming. We used to go in the park and go
23 crayfish hunting. We call it crayfish, but in New
24 Orleans they call it crawfish.

25 So we got crayfish in Fairmount Park, so we

1 would go follow the trial and we would go crayfish
2 hunting.

3 Q. You just shared with us what Eddie was like as
4 a kid. What was Eddie like in his 20s before
5 everything that happened at Temple?

6 A. Eddie was a happy-go-lucky kid. He was the
7 life of the party, down to earth, respectful.
8 Always had friends around him. Always was
9 basically the life. He always had a smile on his
10 face. You could never see if something was wrong
11 with him or not because he was happy-go-lucky. So
12 he used to go to parties and stuff like that. Hang
13 with his friends, bike ride with his friends. All
14 depends what friends he was with that weekend or
15 whatever.

16 He worked a lot and everything like that.
17 But, basically, he was a happy-go-lucky guy.

18 Q. Was Eddie working before everything that
19 happened at Temple?

20 A. Yes.

21 Q. Do you have an understanding of his job?

22 MR. HOSMER: Objection, Your Honor.

23 THE COURT: Overruled.

24 BY MR. STROKOVSKY:

25 Q. Do you have an understanding of his job?

1 A. Yes, he was a CNA.

2 Q. Do you know one way or another if he liked it?

3 A. Oh, he loved it.

4 Q. Do you know why?

5 A. He used to come home and tell me stories he
6 used to have with the clients and stories they used
7 to tell him. He was always -- just when he was
8 coming up, he always just wanted to help people, so
9 that's the type of person he is. That's another
10 gift that he had. That's all.

11 Q. Speaking of gifts, did Eddie have any special
12 talents?

13 A. Yes. Eddie loved to cook.

14 Q. How did Eddie learn how to cook?

15 A. From me. I taught him.

16 Q. How good was he as a cook?

17 A. Oh, he was great as a cook. Real great. But
18 I think his passion is really baking, but he is a
19 hell of a cook, a hell of a cook.

20 Q. And how did Eddie learn how to cook?

21 A. I taught him, but he ain't better than me.

22 But I taught him. Kept them little secrets out.

23 Q. Did Eddie express to you one way or another
24 any plans or future that he wanted with cooking?

25 A. Yes.

1 Q. What did he express to you?

2 A. That he wanted to start his own little
3 business, get a food truck, get a "brick and
4 border," things like that.

5 Q. Prior to everything that happened at Temple,
6 was he doing any type of cooking?

7 A. Yeah. He was trying to get it off the track,
8 and you know how people have selling parties on the
9 weekends, so he would sell little platters for --
10 on the weekends just to get his name out, just to
11 see the smiles on people face after they tasted the
12 food.

13 Q. Was he making any money off of that?

14 A. No, not really. He probably break even or
15 lose. But it was just the point that he wanted to
16 see the smiles on people face. That's all.

17 Q. I want to talk about the time right before
18 Eddie went to the hospital. To your knowledge, was
19 Eddie in a relationship?

20 A. Yes.

21 Q. Do you know who that young female is over
22 there?

23 A. Yes; that's his son's mother and his
24 girlfriend.

25 Q. That was his girlfriend at the time?

1 A. Yes.

2 Q. That's Bree Shear?

3 A. Yes.

4 Q. Was anything -- prior to going to Temple, was
5 there anything else that was significant that was
6 going on with Eddie's life?

7 A. Well, at the time just about a month or two
8 before, probably a month, he had just found out
9 that he was going to be a father. That was a
10 blessing.

11 Q. Did he talk to you after he found out?

12 A. He called me screaming, yelling, You're going
13 to be grandfather. I was happy and everything.

14 Q. Now, I want to turn to the time when Eddie was
15 in the hospital at Temple.

16 Talking about the time when you first saw him,
17 do you remember roughly how long Eddie was in the
18 hospital?

19 A. Not actually. I know that he went in
20 December 30 of '18, and he spent his 28th birthday
21 in there and he got out a couple of weeks later.
22 His birthday is February 2.

23 Q. Would you see Eddie at the hospital?

24 A. Yes.

25 Q. How often would you visit him?

1 A. Majority of the time.

2 Q. Do you need a moment?

3 A. No, I'm okay.

4 Q. We know this is tough.

5 Prior to his amputation, were you aware of
6 Eddie undergoing surgeries?

7 A. Yes.

8 Q. Do you remember seeing his leg?

9 A. Yes.

10 Q. Would you be able to describe what his leg
11 looked like?

12 A. After his first surgery, he came out. They
13 went and put a metal rod from his ankle to his hip
14 and his leg was open from his ankle to his calf.
15 And it was wide open.

16 Q. Did you notice one way or another if Eddie was
17 in pain at all at the hospital?

18 A. Yes. I seen it in his face and he kept crying
19 and telling me that he was hurting.

20 Q. How often did you see Eddie cry?

21 A. I never seen my son cry as much as he did when
22 he was in the hospital. Eddie seemed like every
23 time every day he was crying.

24 Q. Was Eddie able to move around while he was in
25 the hospital?

1 A. No, not at all.

2 Q. At any time did you notice any type of
3 restraints being used on his arms?

4 A. Yes.

5 Q. What do you remember?

6 A. His leg was pinned down, and on several
7 occasions, they had to restrain him to the side of
8 the bed with both his arms.

9 Q. That was hard for you to see, right?

10 A. That was crushing for me to see to the point
11 that one time I had to just take one of his arms
12 out so he could at least try to feel as much normal
13 as possible.

14 Excuse me. I'm sorry.

15 Q. Please don't apologize. We really appreciate
16 you being here.

17 What was Eddie's mental state like, if you
18 know, while he was at the hospital?

19 A. He was in a very dark spot. He was
20 hallucinating. He was talking to people, asking
21 for people in the family that had already passed
22 on. He was in a whole lot of pain. He was crying,
23 talking about crying, crying, crying. Just because
24 he was in so much pain.

25 Q. Do you remember when you first heard that

1 Eddie was going to need an amputation?

2 A. Yes.

3 Q. What do you remember?

4 A. They came in, talking to me, him and his
5 mother, telling us that he will need an amputation
6 or if he don't get it, he could die.

7 Q. Would you be able to describe how Eddie seemed
8 after he heard that news?

9 A. He was devastated. He just broke down and
10 started crying like a baby because it crushed him.
11 It took a whole lot.

12 Q. Do you remember seeing Eddie for the first
13 time after his amputation?

14 A. Yes.

15 Q. Would you be able to describe what Eddie was
16 like at that time?

17 A. He was delirious and everything. Really
18 didn't know where he was at. First thing he asked
19 me was did they take my leg and because I could
20 still feel it. So then I reached back and pulled
21 the covers away from him and that's when he seen
22 his leg for the first time and he just couldn't
23 hold it. He just lost it.

24 Q. Do you remember anything else from the time of
25 his amputation for the rest of the time he stayed

1 at Temple?

2 A. Can you give me that question again?

3 Q. Sure.

4 After his amputation, Eddie was still in the
5 hospital, right?

6 A. Yes.

7 Q. Do you remember what Eddie was like through
8 the rest of the time he was at Temple?

9 A. He was sad, crying all the time. He was
10 crying all the time and sad. He just kept asking
11 me why me, why it had to happen to me.

12 Q. Did you know if he was still in pain?

13 A. Yes. He was in pain the whole time. He kept
14 telling me and I seen it in his face. Kept crying.
15 I mean, I never seen a grown man cry as much as he
16 cried. He cried because he was in so much pain.
17 And everything they gave him, it wouldn't help.

18 Q. I want to move on.

19 A. Okay.

20 Q. I want to talk about what Eddie's life was
21 like just after he left the hospital without his
22 leg.

23 Do you remember things from that year in 2019?

24 A. Yes.

25 Q. Do you remember where he first was living?

1 A. Yes. After the hospital, he didn't want to be
2 a burden on us so he went and stayed with his
3 girlfriend and her mother for a couple of months.

4 Q. Would you see him during that time?

5 A. No. No. I just talked to him on the phone.

6 Q. Would Eddie relay to you how he was feeling?

7 A. Yes, all the time. Like I said, it was a very
8 close -- we were very close. We are a
9 close-knitted family. So he could talk to me about
10 any and everything.

11 He used to tell me when he felt how much pain
12 he was in and everything he was going through that
13 day.

14 Q. Did Eddie ever discuss with you what it was
15 like dealing with his injury with a baby on the
16 way?

17 A. He used to worry that he wasn't going to be a
18 good father to his son and do the things that me
19 and him used to do with his son. So he was very
20 worried about that.

21 Q. Did he talk with you about anything related to
22 his future?

23 A. Yeah.

24 Q. Please take your time, but would you be able
25 to share some of the things that were discussed?

1 A. Yes.

2 He wanted to -- first of all, he wanted,
3 because he had good and bad days, he wanted -- hope
4 that his pain would be manageable later down the
5 line. And he wanted to start his food truck and he
6 wanted to get a brick and border so he could take
7 care of his family.

8 Q. Was he able to do that?

9 A. No, not at all.

10 Q. You told us about Eddie's personality before
11 everything that happened at Temple. Have you
12 noticed any changes in his personality?

13 A. Yes.

14 Q. What is his personality like now?

15 A. It broke my son. It broke his personality to
16 the point that I lost my son that I had known all
17 these years. He's not the same person at all.

18 Q. Has Eddie ever expressed those feelings to
19 you?

20 A. Yes. Yes.

21 Q. Could you share some of what he expressed?

22 A. He don't know what's going to happen. He
23 don't know what's the next, what he can actually
24 do. Really just he want to get his life back as
25 much as normal and he want to make his son proud of

1 him, you know. He wanted to do the things normal
2 people could do like he used to.

3 Q. You shared with us discussions earlier about
4 parenthood or fatherhood. Since that time, did he
5 ever again discuss fatherhood with you or being a
6 dad?

7 A. He loved being a dad. He loves it. He loves
8 his son. His son is his world. His son keeps him
9 living right now. His son is his leg since he
10 don't have one. His son is his leg.

11 He does everything for his son to the point
12 even when he can't get out the bed on them days,
13 it's hard for him to get out the bed when he balled
14 up in a knot he still pushes hisself to get out the
15 bed just so his son can do something like a normal
16 kid.

17 Q. Has he expressed any concerns about raising
18 his son with his amputation?

19 A. Yes. He hope that the kids in school don't
20 tease him about his dad being different. He hope
21 that he don't get bullied in school because kids
22 can be mean and sometimes that makes him worry
23 about that.

24 Q. I really appreciate you. We are almost done.

25 A. Thank you.

1 Q. As Eddie's father, what do you see for his
2 future?

3 A. I don't see a future for him. I really don't.
4 I don't see a future. He was raised to make the
5 best of the worst situation that you can and to
6 always have a smile on your face and never let
7 crisis eat you up. But the future, I don't think
8 he has one because he can't do his passion that he
9 loved and his cooking because it's so hard for him
10 to stand up for a certain length of time and get
11 around so he can't do it. I really don't see a
12 future for him. Even though he had dreams and
13 aspirations.

14 Q. If Eddie never lost his leg, what do you think
15 he would be doing right now?

16 A. Eddie would have had his brick and border by
17 now. Eddie is very determined to do that and
18 that's his life goal. That was one of his life
19 goals. That's a goal that I don't think he can
20 achieve at this present time. Or if he can achieve
21 it at all. But by this time he would have been
22 with a restaurant. He would have had a restaurant
23 by now.

24 Q. I'm pretty sure this is my last question.

25 If you can even sum it up, what have Eddie's

1 injuries, the loss of his leg, done to him?

2 A. It broke my son. It broke his personality.

3 He was happy. He was the life of the party.

4 Everybody always wanted to be around him and

5 everything. His friends is gone. He's in pain

6 every day. His personality is gone. He's not the

7 happy-go-lucky person no more. They broke him.

8 They broke the son that I know that I know is no

9 longer there. They killed him.

10 MR. STROKOVSKY: Thank you for your

11 courage today. I have no further questions.

12 THE COURT: Counsel, you may

13 inquire.

14 MR. HOSMER: I have no questions,

15 Your Honor.

16 THE COURT: Sir, thank you very

17 much. You can step down.

18 Take your time.

19 So, ladies and gentlemen, we are

20 planning our next witness. Do we need a

21 comfort break or do you want to keep going?

22 Good. Thank you.

23 You can call your next witness.

24 MR. STROKOVSKY: Would it be all

25 right if we could request a comfort break,

1 please?

2 THE COURT: Why don't we all take
3 advantage of that request. Five, ten minutes.
4 We will keep going.

5 Thank you very much, ladies and
6 gentlemen. Remember what I told you. Keep an
7 open mind. Please don't discuss what you have
8 heard or seen in the courtroom until we have
9 had the complete case put to you.

10 So thank you so much for your
11 patience.

12 (Jury exits courtroom at 11:05 a.m.)

13 (Brief recess.)

14 THE COURT: What is the issue?

15 MR. HOSMER: The issue is, Your
16 Honor, certain exhibits plaintiffs -- P-1 and
17 P-2 show the patient's leg cut open.

18 THE COURT: Okay.

19 MR. HOSMER: It's a result of the
20 fasciotomy that was performed, not as a result
21 of the amputation, and this should not be an
22 element of damages. The man needed a
23 fasciotomy as a result of the injury --

24 THE COURT: I appreciate your
25 expertise, but a much broader topic of pain

1 and suffering and the conditions of his injury
2 and the mitigation of that injury is all
3 before the jury for their evaluation. Isn't
4 that a fact that evidence of pain and
5 suffering of that is relevant to this case?

6 MR. HOSMER: Not the pain and
7 suffering from the fasciotomy, Judge.

8 THE COURT: I don't know how you can
9 parse that.

10 MR. STROKOVSKY: If I may, Your
11 Honor, first off --

12 THE COURT: It's been exchanged,
13 first of all?

14 MR. STROKOVSKY: Yes.

15 THE COURT: They are P whatever.

16 MR. STROKOVSKY: Yes.

17 MR. HOSMER: We filed a motion in
18 limine --

19 THE COURT: Here I am, listening.

20 MR. HOSMER: Thank you.

21 MR. STROKOVSKY: I have already
22 instructed my expert and my other witnesses
23 not to talk about what happened in the ED or
24 reference fasciotomies. But the bottom line
25 is if there wasn't any medical malpractice

1 from that one procedure that he had, the
2 fasciotomy procedure, his wounds would have
3 been sewn and they would have been closed.
4 Because his muscle died due to the medical
5 malpractice.

6 THE COURT: What I will rule is that
7 they are relevant as proffered and subject to
8 both cross-examination of experts rather than
9 hearing counsel's expertise. So there may be
10 an instruction subsequent to testimony by an
11 expert or a qualified witness, rather than
12 have us have a legal-medical analysis that
13 you're asking the Court to make at this
14 juncture with no testimony before me.

15 MR. HOSMER: The other point I would
16 add is that, Your Honor, I believe they're
17 inflammatory.

18 THE COURT: That's a 403 balancing
19 of evidence under the rules, which, as you
20 would agree, that's an evaluation that I have
21 to weigh the probity versus the prejudice. So
22 as I have indicated, I think there is probity
23 in the case in chief that the plaintiff has
24 outlined. It's certainly subject to effective
25 cross-examination when given the opportunity.

1 But on the 403, especially, I think
2 the relevance and the probity outweighs the
3 potential relevance. I would instruct
4 counsel, though, to use good judgment on
5 portraying medical issues I will call that,
6 for lack of a better phrase at this moment,
7 for a length of time that it's just to induce
8 sympathy or outrage or abhorrence.

9 Counsel, did you hear me? Be
10 judicious in the use of graphic photographs in
11 your presentation. The jury is not always
12 capable of absorbing in a fair way this
13 information.

14 MR. STROKOVSKY: Sure. There are
15 numerous photos of his leg cut open, but I
16 will only intend to show one. And then one
17 postamputation.

18 THE COURT: If I see that they are
19 prejudicial and inflammatory, then I may have
20 to intervene based on an objection. But right
21 now I have instructed you to be judicious so
22 that defense counsel has an opportunity
23 without prejudice to cross-examine and
24 determine whether or not this is part of the
25 injury that is before the jury.

1 Am I clear enough, Counsel?

2 MR. HOSMER: Thank you, Your Honor.

3 MR. STROKOVSKY: Could I have a
4 brief second to talk to my expert?

5 THE COURT: Sure.

6 (Jury enters courtroom at
7 11:31 a.m.)

8 THE COURT: Thank you, ladies and
9 gentlemen. Welcome back. We have a witness
10 prepared to be sworn who will be our next
11 witness in the case.

12 THE CRIER: State your name.

13 THE WITNESS: My name is Mary Ann
14 Miknevich, M.D.

15 MARY ANN MIKNEVICH, M.D., having
16 been duly sworn, was examined and testified as
17 follows:

18 THE COURT: Counsel, you may
19 proceed.

20 - - -

21 DIRECT EXAMINATION ON VOIR DIRE

22 - - -

23 BY MR. STROKOVSKY:

24 Q. Good morning, Dr. Miknevich.

25 A. Good morning, Mr. Strokovsky.

1 Q. Dr. Miknevich, if you could tell the jury
2 briefly what is your role in this case?

3 A. I was asked to evaluate Mr. Parks regarding
4 his long-term rehabilitation and long-term life
5 care needs from a medical standpoint.

6 Q. You're a medical doctor, right?

7 A. That's correct.

8 Q. Do you have a specialty?

9 A. Yes, I do. I am a specialist in the field of
10 physical medicine and rehabilitation, otherwise
11 known as "physiatry."

12 Q. What kind of conditions do you treat as a
13 physiatrist?

14 A. So as a physiatrist, we treat a lot of
15 different types of nerve and muscle problems,
16 catastrophic injuries, things like strokes, brain
17 injuries, spinal cord injuries, amputations. We do
18 nerve testing as part of our specialty. Some
19 physiatrists practice the specialty of pain
20 management in addition to doing general
21 rehabilitation.

22 Q. And what I'm holding up here, you provided two
23 curriculum vitae, correct?

24 A. That's correct.

25 Q. One was from a couple of years ago and one is

1 an updated one; is that correct?

2 A. That's correct.

3 Q. This has been premarked as P-38 and P-39.
4 P-38 being the first C.V. we received and P-39
5 being the updated one, a copy has already been
6 provided to counsel.

7 MR. STROKOVSKY: May I approach,
8 Your Honor?

9 THE COURT: Certainly.

10 We recommend publication rather than
11 hand-to-hand transmittal of documents, as I
12 told you in the pretrial.

13 Can you identify the exhibit number
14 that you just produced for the doctor?

15 MR. STROKOVSKY: Yes, Your Honor.

16 The doctor just received Exhibits
17 P-38 and P-39.

18 THE COURT: You may inquire.

19 BY MR. STROKOVSKY:

20 Q. So, Doctor, are those your C.V.s?

21 A. Yes, they are.

22 Q. Do you hold any Board certifications?

23 A. Yes. I'm certified by the American Board of
24 Physical Medicine and Rehabilitation.

25 Q. What is the significance of having a Board

1 certification?

2 A. Have a Board certification in addition to
3 receiving residency training in an approved program
4 of training, you must sit for both written and
5 subsequently a year later oral examinations to
6 determine your expertise in the field.

7 Q. Do you hold any other Board certifications?

8 A. I'm a diplomat of the American Board of
9 Electrodiagnostic Medicine.

10 Q. Dr. Miknevich, where do you work?

11 A. My primary office -- I work in Pittsburgh,
12 Pennsylvania and in the greater Pittsburgh area.
13 My primary office is located at -- now it's UPNC
14 Mercy. That's my main address.

15 I also have amputee clinics in the surrounding
16 Pittsburgh community, in the North Hills, also, in
17 the East Hills.

18 Q. You work with amputees?

19 A. Yes.

20 Q. How long have you been working with amputees?

21 A. I started working with amputees during my
22 residency training, which was between 1980 and
23 1984.

24 Since 1984, I have been in the full-time
25 practice of the specialty of physical medicine and

1 rehabilitation, and I have been amputee clinic
2 chief for the Pennsylvania Office of Vocational
3 Rehabilitation, which means that my clinic is
4 certified by the Pennsylvania Office of Vocational
5 Rehabilitation. I have a counselor who attends all
6 of my clinics. They make sure that the
7 prosthetists or limb makers who attend the clinics
8 always have certified facilities, as well.

9 Q. If you're able to, could you approximate how
10 many amputees you treat as patients in a given
11 month?

12 A. So on average, I have anywhere between eight
13 to ten amputee clinics during a month. In those
14 clinics, I would see 15, sometimes more, patients,
15 plus I see amputees when they have other problems
16 or sometimes on days that I don't have my clinics.
17 So I would say easily I see 150 or more amputees
18 every month.

19 Q. What do you do for your amputee patients?

20 A. So as a rehabilitation medicine doctor or
21 physiatrist, our goal is to work with people who
22 have limb loss or some people who are born without
23 limbs, to allow them to achieve the best function
24 possible in terms of the prosthesis that they get,
25 the therapy that they get.

1 We also address medical complications that
2 they have associated with their amputation. So I
3 deal with all of those different issues.

4 Q. Do you have experience with prosthetics?

5 A. Yes. I have extensive experience with
6 prosthetics.

7 Q. And you mentioned your main job, but do you
8 hold any other positions that you feel qualified
9 you for this case?

10 A. So in addition to my clinical practice, which
11 I am in the full-time practice of medicine, I'm
12 also a residency training program director for the
13 University of Pittsburgh Department of Physical
14 Medicine. We have 32 residents in training. So
15 I'm responsible for their education. And one of
16 the areas that I am responsible for is their
17 training in prosthetics and orthotics.

18 Q. And in the past, have you had experience with
19 war veterans coming back from Iraq?

20 A. As a matter of fact, I have. This would have
21 been back in 2003, I was invited to be part of a
22 project at Walter Reed that was sponsored by the
23 Department of the Army.

24 We had not yet started to have soldiers coming
25 back from Iraq, but there was a concern because at

1 that time in order for a soldier in active duty to
2 get a high-tech prosthesis, something like a
3 computer leg, a C-leg, it was an average two-year
4 wait for them to go through the VA system. So they
5 were looking to put forward a process to allow
6 those soldiers to get their prosthetic devices
7 sooner.

8 So they put together a team of experts. I was
9 the only clinically practicing physician they
10 included. And my section was to write the criteria
11 for who would get a C-leg.

12 Q. And you have done research and presentations
13 in the area of amputees; is that correct?

14 A. Yes.

15 Q. Could you give us an overview of that
16 experience?

17 A. So, again, as I mentioned, I teach. I also
18 have presented nationally, as well as
19 internationally on topics related to amputees and
20 their issues, including topics related to amputee
21 pain.

22 I speak regularly at our local amputee support
23 groups and participate in those, as well.

24 I serve as a medical adviser to Ossur
25 Americas. Ossur is a large manufacturer of

1 prosthetic components.

2 Q. And in your C.V., it looks to be you have done
3 about a hundred medical presentations in your
4 career or maybe more; is that fair?

5 A. Been doing it a long time, yes.

6 Q. And do any of those involve your work with
7 amputees or the subject of amputees?

8 A. A lot of them do, yes. I also mentor
9 residents who are doing presentations on
10 amputee-related topics, as well.

11 Q. And have you testified in court before as a
12 physiatrist on similar topics of today of the
13 diagnosis of an amputee, the prognosis of an
14 amputee and making future medical care
15 recommendations?

16 A. I have.

17 Q. And have you been qualified in court?

18 A. Yes.

19 MR. STROKOVSKY: Your Honor, at this
20 time I offer Dr. Miknevich as a qualified
21 expert in the field of physical medicine and
22 rehabilitation.

23 THE COURT: Counsel, do you wish to
24 colloquy the proffered witness?

25 MR. HOSMER: Just one or two, Your

1 Honor.

2 THE COURT: No worries. Go ahead,
3 please.

4 - - -

5 CROSS-EXAMINATION ON VOIR DIRE

6 - - -

7 BY MR. HOSMER:

8 Q. Good morning, Dr. Miknevich.

9 I'm Chandler Hosmer. I represent Dr. Lorei.
10 Just a couple of questions on your qualifications,
11 ma'am.

12 You mentioned that you have been qualified to
13 testify in court before?

14 A. Yes.

15 Q. And so litigation is not something that is new
16 to you, correct?

17 A. No, it's not.

18 Q. I have a history here of your litigation
19 history, and it indicates that on at least four
20 occasions, you've either written reports or
21 testified for plaintiffs in personal injury cases.
22 Would that be fair, ma'am?

23 A. I don't know an exact number.

24 Q. Not exact. Does 24 sound about right to you?

25 A. Over my almost 40 years, probably, but not all

1 plaintiffs.

2 Q. Yes. I see one for a defendant and the rest
3 for plaintiffs. Would that be fair, ma'am?

4 A. No, that's not fair. That's not correct.

5 Q. Would it be fair to say about maybe 10 percent
6 is defendants and the other 90 percent is
7 plaintiffs?

8 A. No.

9 Q. You had mentioned -- well, do you have a
10 percentage?

11 A. I don't have a percentage. I --

12 Q. Is it --

13 THE COURT: Allow the witness to
14 answer.

15 MR. HOSMER: My apologies. Thank
16 you, Your Honor.

17 BY MR. HOSMER:

18 Q. Go ahead.

19 A. I would probably say it's more like 75-25.

20 Q. I see. Seventy-five percent of the time
21 you're doing work for plaintiffs, either writing
22 reports or appearing in court, and then you're
23 saying the other 25 percent is for defendants?

24 A. That's correct. I have been asked to do both.
25 Probably more plaintiffs because of my own

1 patients.

2 Q. And you also mentioned, ma'am, that you had
3 wrote criteria for a C-leg? I wrote that down.

4 A. That's correct.

5 Q. Is the C-leg the leg that Mr. Parks is now
6 wearing as a prosthesis?

7 A. The version he has is a C-leg 4. This was in
8 2003. We were still on the original C-leg.

9 Q. So it's a lot more sophisticated and advanced
10 now, I take it?

11 A. Somewhat more sophisticated.

12 Q. Is the criteria today for a C-leg the same as
13 it was in 2003?

14 A. I would say, yes.

15 Q. And what is the criteria to qualify or to be a
16 candidate for a C-leg?

17 A. Someone has to have the potential to be a
18 community level ambulator, which is a K3 level
19 ambulator. They need to be able to walk on a
20 variety of surfaces, slopes, slants, steps, on even
21 terrain in the community.

22 So you would not fit, for example, somebody
23 who was just a household walker with a C-leg.
24 Someone who does need to be out in the community
25 would be a candidate.

1 Q. That would be the, I think, the language is
2 along the lines of the individual has the ability
3 to traverse environmental barriers that are common
4 to all of us.

5 A. That's correct.

6 Q. Hills, steps, that kind of thing?

7 A. That's correct.

8 Q. And Mr. Parks qualifies for that, correct?

9 A. That's correct.

10 Q. And the K3 is just one step below a K4?

11 A. Yes.

12 Q. K4 is someone who engages in high-impact,
13 high-energy kinds of activities such as mountain
14 climbing, things of that nature?

15 A. It would be an active athlete or an active
16 child.

17 Q. I assume that all of us would be K3
18 ambulators, then. Would that be fair?

19 A. I would say since everyone made it here today
20 and probably walked here.

21 Q. We are all K3s?

22 A. Yes.

23 MR. HOSMER: That's all the
24 questions I have.

25 Ma'am, thank you for your time.

1 THE COURT: Without objection?

2 MR. HOSMER: No objection, Your
3 Honor.

4 THE COURT: The witness is qualified
5 as she has been proffered.

6 You may proceed, Counsel.

7 MR. STROKOVSKY: Thank you, Your
8 Honor.

9 - - -

10 DIRECT EXAMINATION

11 - - -

12 BY MR. STROKOVSKY:

13 Q. Dr. Miknevich, as I stated earlier, I asked
14 you to evaluate Mr. Parks, correct?

15 A. Yes.

16 Q. And what did your evaluation include?

17 A. When I evaluate a new amputee or a patient who
18 has limb loss, I look at their medical records,
19 evaluate their records. I also evaluate the
20 patient in terms of where they're at in the
21 process. Do they already have a prosthesis? Is it
22 something that they are waiting to be fitted for?

23 So assess those things, as well as look at
24 other complications they may have related to their
25 injury. And make recommendations regarding things

1 they may need in the future in terms of medical
2 care; radiology studies, help in the home, for
3 example, modifications to a home, transportation
4 issues. Particularly as people age, we tend to see
5 more complications in patients who deal with limb
6 loss than we do in the general population.

7 Q. After you evaluate -- strike that.

8 You evaluated Mr. Parks personally, right?

9 A. Yes, I did.

10 Q. And you also reviewed his medical records?

11 A. Yes, I have.

12 Q. And did you base your recommendations and your
13 findings on your interactions with Mr. Parks and
14 review of his records?

15 A. That's correct.

16 Q. Is there anything else that you would have
17 reviewed?

18 A. I reviewed Mr. Parks' deposition, as well.

19 Q. And the things that you reviewed and your
20 evaluation of Mr. Parks, that type of methodology
21 is that the type of methodology that is accepted in
22 your field?

23 A. Yes, it is.

24 Q. And prior to coming to trial, you wrote
25 reports, putting pen to paper, your findings and

1 opinions; is that correct?

2 A. That's correct.

3 Q. One report was from April of 2021, right?

4 A. Yes.

5 Q. And then you provided an updated report on
6 March 23, 2023; is that right?

7 A. That's correct.

8 Q. And then you did write a letter on May 1 of
9 this year, but that just included that you reviewed
10 some materials that were just provided and your
11 opinions have not changed; is that correct?

12 A. That's correct.

13 MR. STROKOVSKY: If I may, Your
14 Honor, we have no intention of publishing it,
15 but I'd like to hand Dr. Miknevich a copy of
16 her reports in case she needs to refresh her
17 recollection or refer to it during her
18 examination.

19 THE COURT: It can be used as a
20 memory aid, but it's not substantive evidence
21 so it will be marked only for purposes of the
22 record.

23 MR. STROKOVSKY: Sure. Yes. So
24 marked only for purposes of the record.

25 THE COURT: To translate that,

1 ladies and gentlemen, this is the analysis
2 that the witness is offering. It just allows
3 a witness to refer to something rather than
4 pure memory. You're to evaluate the testimony
5 nevertheless as you find it.

6 MR. STROKOVSKY: For purposes of the
7 record, what was just handed to Dr. Miknevich
8 was P-40, which is her report from 2021, P-41,
9 her report from March of 2023 and P-42, which,
10 again, is just that very brief letter.

11 BY MR. STROKOVSKY:

12 Q. Dr. Miknevich, are those the reports?

13 A. Yes, they are.

14 Q. And, again, if you need to refer to that or
15 refresh your recollection, you may do so, but let's
16 proceed, please.

17 So before we get into all the specifics and
18 your findings and your opinions, can you just give
19 us your general impression as to how Mr. Parks'
20 amputation has impacted his life.

21 A. I would have to say that Mr. Parks' amputation
22 has changed his life now and in the future forever.

23 Q. And, also, by way of housekeeping, in your
24 reports, your opinions and findings were all made
25 to a reasonable degree of medical certainty; is

1 that correct?

2 A. Yes, they were.

3 Q. Do you promise us that as you testify here
4 today, that all of your findings, opinions and
5 conclusions will also be made to that same degree
6 of -- to the same reasonable degree of medical
7 certainty?

8 A. Yes.

9 Q. So, after reviewing the medical records and
10 evaluating Mr. Parks, did you list some of his
11 diagnoses in your report?

12 A. Yes.

13 Q. And those are what you listed as a result of
14 Temple's malpractice, correct?

15 A. I'm assuming you're referring to the April 13
16 report or the March report?

17 Q. Strike that.

18 Did you have an opportunity to put on a
19 separate piece of paper a list of the diagnoses of
20 what happened to Mr. Parks as a result of the
21 malpractice?

22 A. So I have, again, within my reports, I have a
23 list of diagnoses of his conditions associated with
24 his injury.

25 Q. Doctor, I'm showing you what has been marked

1 previously as P-43. I don't know if you can see
2 it.

3 THE COURT: Without objection.

4 THE WITNESS: I'm assuming that's
5 the list of diagnoses.

6 BY MR. STROKOVSKY:

7 Q. Yes. Did you review this before?

8 A. Yes, I have.

9 Q. And does this accurately list Mr. Parks'
10 diagnoses as a result of his amputation?

11 A. Yes.

12 Q. And would this list or showing this list to
13 the jury help you explain to them his condition as
14 a result of the amputation?

15 A. Yes, it would.

16 MR. STROKOVSKY: Your Honor, at this
17 time can we publish this to the jury?

18 THE COURT: Yes. Without objection?

19 MR. HOSMER: Your Honor, I think he
20 did give it to me.

21 THE COURT: P-43.

22 MR. STROKOVSKY: I e-mailed it to
23 you. We can give you a hard copy right now.

24 THE COURT: That would be great.

25 Thank you.

1 MR. STROKOVSKY: Could you please
2 put P-43 on the screen, Mr. Bitman.

3 MR. HOSMER: Your Honor, to answer
4 your question, I do not object to her saying
5 that these are the conditions that he had.

6 THE COURT: She's relying upon this
7 to express an opinion, as I understand it, was
8 the question.

9 MR. HOSMER: Yes.

10 THE COURT: Without objection then
11 for that purpose.

12 BY MR. STROKOVSKY:

13 Q. Doctor, before we go into the specifics on
14 this list, do you have a general understanding of
15 Mr. Parks' health prior to coming to Temple?

16 A. Yes. Prior to coming to Temple, his only
17 known medical condition was a history of asthma for
18 which he was really not taking any treatment,
19 didn't need it.

20 Q. Let's start with the first bullet point.

21 MR. STROKOVSKY: Would you zoom in
22 on that.

23 BY MR. STROKOVSKY:

24 Q. So, Dr. Miknevich, this first bullet point
25 reads: Right lower extremity washout and/or

1 debridement on January 3, 2019, January 8, 2019,
2 January 9, 2019, January 11, 2019, January 13, 2019
3 and January 15, 2019.

4 Is that correct?

5 A. Yes.

6 Q. And so that's six surgeries, right?

7 A. Yes, it is.

8 Q. And, generally speaking, can you tell us what
9 a debridement is.

10 A. What a washout and debridement, the word
11 "debridement," as mentioned earlier this morning,
12 they had to go into his limb with an incision and
13 clean out dead muscle, infection. Cut out
14 necrotic, dead muscle and pockets of pus.

15 Q. And that happened because of the delay in
16 treatment or diagnosis that Temple admitted to you
17 that you heard in openings?

18 MR. HOSMER: Objection. Beyond the
19 scope of her report.

20 MR. STROKOVSKY: Sidebar, Your
21 Honor?

22 THE COURT: No.

23 Was it within the fair scope of the
24 expert report entered by the witness?

25 MR. STROKOVSKY: Your Honor, well

1 they admit --

2 THE COURT: No. Answer my question
3 first.

4 We are relying upon this witness and
5 their expertise and opinion they have
6 expressed already. Is what you just asked
7 reflected within the fair scope of the opinion
8 that she already shared with us?

9 MR. STROKOVSKY: Yes, Your Honor,
10 this is listed in her report.

11 THE COURT: So that objection is
12 overruled if it has been contained in the
13 report.

14 So you may proceed.

15 THE WITNESS: Can you re-ask the
16 question?

17 MR. STROKOVSKY: Sure.

18 BY MR. STROKOVSKY:

19 Q. Why did Mr. Parks need debridements?

20 A. Because of the problem he had with the
21 circulation in his lower leg, the muscle tissue
22 died and also became infected.

23 Q. And have you seen any photographs of Mr.
24 Parks' wounds during the time -- actually strike
25 that.

1 Is it fair that from early January up until
2 the time of his amputation on January 22, that's
3 when those six debridement procedures occurred,
4 right?

5 A. That's correct.

6 Q. And have you reviewed any photographs of Mr.
7 Parks' wounds from his leg during that time period?

8 A. Yes.

9 Q. And those photos were in Temple's medical
10 records, correct?

11 A. Yes.

12 Q. That's what you reviewed?

13 A. Yes.

14 MR. STROKOVSKY: At this time I'd
15 like to show to Dr. Miknevich what has been
16 marked P-2, which is photograph Bates marked
17 3467.

18 THE COURT: Counsel, without
19 objection?

20 MR. HOSMER: I have not seen the
21 picture yet.

22 I have seen the picture.

23 THE COURT: What we will do is
24 always tell counsel what the premarked
25 identifier is so we know what we are talking

1 about.

2 MR. HOSMER: In fairness to
3 Mr. Strokovsky, he did give them to me a while
4 ago. We have discussed it and I object to
5 them for reasons stated.

6 THE COURT: Counsel, as I
7 understand, as you have explained to the Court
8 already, this is a photograph of an open wound
9 of your client. Is that necessary for the
10 opinion of the doctor who is now testifying to
11 actually visualize the open wound?

12 MR. STROKOVSKY: Yes, Your Honor.

13 THE COURT: Ask the witness that
14 question.

15 MR. STROKOVSKY: Sure.

16 BY MR. STROKOVSKY:

17 Q. Dr. Miknevich, would explaining what Mr. Parks
18 went through during this three-week time period,
19 would showing a photograph of his wounds help you
20 explain to the jury what he went through?

21 A. Yes.

22 THE COURT: So I will overrule that
23 objection.

24 I will advise the ladies and
25 gentlemen of the jury, some of these

1 photographs are quite graphic, but I'm going
2 to ask counsel to be extremely sparing in the
3 amount of exposure that you have to very
4 graphic evidence.

5 But as you just heard, this
6 particular witness is relying upon that
7 evidence.

8 So, again, counsel, I caution you to
9 be judicious in the use of any photograph of
10 your client's injuries.

11 BY MR. STROKOVSKY:

12 Q. So, Dr. Miknevich, on your screen hopefully in
13 a couple of seconds, you're going to see P-2.

14 Do you see it?

15 A. Yes.

16 Q. Is this a fair and accurate representation of
17 what his wound would have looked like at the
18 hospital?

19 MR. HOSMER: Objection. I'm sorry,
20 I don't mean to be obstreperous, but on what
21 date?

22 THE COURT: Counsel, can you ask the
23 witness if she's aware of when in the course
24 of treatment this photograph was taken, if she
25 knows.

1 MR. STROKOVSKY: Sure.

2 BY MR. STROKOVSKY:

3 Q. Doctor, looking at the medical record produced
4 by Temple, is there a date on that image or --

5 MR. STROKOVSKY: You don't have to
6 zoom in, Mr. Bitman.

7 THE WITNESS: There is not a date.
8 It was sometime during the admission from
9 12/31/18 to 2/7/19.

10 BY MR. STROKOVSKY:

11 Q. Could you narrow that down? Would it be fair
12 to say that it would be sometime between January 1
13 and before his amputation?

14 A. Yes, that's when it would have been.

15 THE COURT: You may publish briefly.

16 MR. STROKOVSKY: Mr. Bitman, if you
17 could please briefly publish this to the jury.

18 BY MR. STROKOVSKY:

19 Q. Dr. Miknevich, we don't need to talk too much
20 about this.

21 THE COURT: I'm going to suggest ask
22 the pertinent question about what the purpose
23 of this photograph is, and then I will ask you
24 to take it down so you can continue
25 questioning on the subject.

1 BY MR. STROKOVSKY:

2 Q. So what is the significance of what we are
3 looking at here?

4 A. The significance of it is to show the extent
5 of the surgeries that he required during that time
6 period where he had underwent the multiple
7 debridements. His muscle in his leg was dying.
8 There was infection in the leg and they had to keep
9 going in and removing more and more of it in an
10 effort to try to save what was left of his leg.

11 THE COURT: Thank you, Counsel. You
12 can take that down.

13 MR. STROKOVSKY: Please take that
14 down.

15 Thank you.

16 BY MR. STROKOVSKY:

17 Q. During this three-week period prior to his
18 amputation, was there anything else medically going
19 on with Mr. Parks?

20 A. Yes. During that time, based on my review of
21 the record, Mr. Parks was dealing with fevers. He
22 had elevated white blood cell counts, meaning that
23 he was showing signs of infection throughout his
24 body. He was requiring high dosages of intravenous
25 narcotic pain medications, including fentanyl,

1 Dilaudid, intravenous Tylenol. As I said, he was
2 febrile. He was delusional, confused, agitated
3 during that time.

4 And for each of these procedures, he would
5 have undergone anesthesia and undergone a
6 significant surgery in addition to just having the
7 wound there. So on every one of those days he went
8 through an additional procedure.

9 Q. You were in the courtroom when Mr. Parks
10 testified, right?

11 A. Yes.

12 Q. He mentioned seeing restraints with his son.
13 Is that something that you saw in the medical
14 records?

15 A. He required a one-to-one sitter. At some
16 point in time he was also given medication such as
17 Haldol to try to calm him down because, again, he
18 was confused and delusional and agitated.

19 Q. I apologize if you already mentioned this, but
20 was he in pain?

21 A. He was, as I said, during that time, a lot of
22 times was sedated or was somewhat out of it because
23 of the medications. But he was getting high
24 dosages of pain medications, yes.

25 Q. If you don't know, that's fine, but do you

1 recall in your review of the medical records any
2 measurements of the size of Mr. Parks' wounds?

3 A. So --

4 MR. HOSMER: Objection. Lack of
5 foundation.

6 THE COURT: Overruled.

7 THE WITNESS: They were measured in
8 centimeters, but to convert them to inches and
9 feet which we are more used to, some of his
10 wounds were as large as 1 foot, 4 inches in
11 length by a foot in width and several inches
12 in depth. So quite large.

13 MR. STROKOVSKY: Mr. Bitman, could
14 we please go back to the list of diagnoses,
15 P-43. Zoom in on the second bullet point.

16 BY MR. STROKOVSKY:

17 Q. Dr. Miknevich, so what we see here is, Right
18 knee disarticulation amputation January 22, 2019.

19 First off, what is a disarticulation?

20 A. So when they disarticulate a joint, it's
21 basically you cut it right at the joint. So you
22 don't cut through the bone. You cut through the
23 ligaments and tendons between the two parts of the
24 joint.

25 So in this case they took his femur and

1 separated it from the lower part of his leg.

2 Q. Did you review a medical illustration
3 depicting Mr. Parks' amputation procedure?

4 A. Yes, I did.

5 Q. Do you feel that that would assist you in
6 telling the jury about this procedure?

7 A. I believe it would be helpful to show the jury
8 what happens during that amputation.

9 MR. STROKOVSKY: Mr. Bitman, if you
10 can just for Dr. Miknevich and parties, can
11 you please present her with P-25.

12 BY MR. STROKOVSKY:

13 Q. Is this the illustration that you reviewed,
14 Dr. Miknevich? And take as much time as you need.

15 A. Yes, that's the picture that I reviewed.

16 Q. Does it fairly and accurately depict Mr.
17 Parks's amputation procedure?

18 A. Yes.

19 THE COURT: Any objection?

20 MR. HOSMER: Only to the
21 postoperative photo that is adhered to it.

22 THE COURT: This is now the
23 illustration I think of the amputation
24 procedure.

25 MR. HOSMER: But I think I know what

1 your ruling is on that. I object to that
2 only.

3 THE COURT: Fair enough. That's
4 preserved for the record.

5 MR. STROKOVSKY: Mr. Bitman, so you
6 know, before you show it to the jury, I will
7 ask you to zoom in on each step of the
8 process.

9 So if you can, Mr. Bitman show P-25,
10 please.

11 BY MR. STROKOVSKY:

12 Q. We don't need to zoom in, but just briefly, we
13 don't have to have the jury look at it for too
14 long. If you can briefly go through the basic
15 steps of what you see here. It looks like the
16 first step is part A, a fish-mouth incision made at
17 the level of the right knee; is that correct?

18 A. That's correct. So they cut the tissues in
19 that shape so that they can pull them together and
20 close them. So they call it a fish-mouth incision,
21 but it's just cutting through the tissues between
22 the bones.

23 Q. And then we go to part B right next to it and
24 that's where subcutaneous incisions were divided
25 creating flaps. What you just mentioned, I think?

1 A. That's correct.

2 Q. We will move down to part C where ligaments
3 are divided around the knee joint and the distal
4 leg is removed; is that correct?

5 A. That's correct.

6 Q. And then if we can go to part D on the top
7 right, this is the closing of flaps and suturing of
8 the wound?

9 A. Yes.

10 Q. And before we show the final part, which is
11 included, you reviewed a photograph from Temple's
12 medical records of Mr. Parks' limb after his
13 amputation procedure?

14 A. Yes.

15 Q. And is that what you see here in the lower
16 right-hand side corner?

17 A. Yes, it is.

18 Q. And does that fairly and accurately reflect
19 what you saw in Mr. Parks' medical records?

20 A. Yes, that is the picture.

21 MR. STROKOVSKY: If we can just
22 briefly zoom in on that picture.

23 BY MR. STROKOVSKY:

24 Q. What, if anything, Dr. Miknevich, is
25 significant about what you see here?

1 MR. HOSMER: Objection. Overly
2 broad.

3 THE COURT: Overruled.

4 THE WITNESS: So the biggest thing
5 that you see is that there is a large amount
6 of swelling. There are some large retention
7 sutures trying to hold the incision together.
8 The incision itself is quite large, extending
9 far up onto his thigh. So there is a lot of
10 scar there.

11 MR. STROKOVSKY: We can take this
12 down.

13 BY MR. STROKOVSKY:

14 Q. So, Doctor, can you also explain the
15 significance, if any, of the level of which Mr.
16 Parks' leg was amputated?

17 A. Yes. So a knee disarticulation amputation is
18 not as commonly done as either a below the knee, or
19 transtibial is another name for it, or above the
20 knee, transfemoral, but it falls into the
21 classification of transfemoral or above-the-knee
22 level of amputation because you're essentially
23 losing your knee joint with such an amputation.

24 You do end up with a long residual limb, which
25 I think you will see with Mr. Parks, that can

1 affect the look of his prosthetic and how far his
2 knee sticks out compared to his other knee. Some
3 of those things.

4 But it's often done when there is significant
5 infection because they don't want to have to cut
6 through the bone because there is more chance of
7 infecting the bone. So they will often go through
8 a disarticulation level in some of those cases.

9 Q. In terms of mobility and using a prosthetic,
10 can you give us an overview of the differences
11 between someone who has a below-the-knee amputation
12 and an above-the-knee amputation?

13 A. The major difference is what your knee does
14 for you. So somebody who has a below-the-knee
15 amputation, we basically have to replace the
16 function of your foot and ankle.

17 In somebody who has a knee disarticulation or
18 an above-the-knee amputation, we not only have to
19 replace the function of the foot and ankle, but now
20 we have to include the function of the knee, and
21 because of that, the costs, the energy costs
22 associated with walking become significantly
23 higher. The difficulty of wearing a socket becomes
24 much greater because it now has to go all the way
25 up the thigh instead of just up to the level of

1 knee, as you would have a below-the-knee
2 amputation. There are significant differences.

3 Q. While Eddie is a through-knee amputation, his
4 type of amputation is, can you opine as to whether
5 it's more like an above the knee or more like a
6 below the knee?

7 A. It is definitely classified as an above-knee
8 amputation.

9 MR. STROKOVSKY: Mr. Bitman, if we
10 can go back to the diagnosis list and go to
11 the third bullet point, chronic pain syndrome.

12 BY MR. STROKOVSKY:

13 Q. So it's your opinion that Mr. Parks has
14 chronic pain syndrome as a result of his
15 amputation?

16 A. Yes, it is.

17 Q. And can you tell us what chronic pain syndrome
18 is?

19 A. So a chronic pain condition is a condition by
20 definition that lasts more than three months. So
21 in a chronic pain situation, patients tend to live
22 with pain over a prolonged period of time.

23 Q. Why do you feel that Mr. Parks has chronic
24 pain syndrome?

25 A. Mr. Parks has a number of other -- there are

1 other bullet points on the diagnosis list that
2 explain some of it. He has both problems with his
3 residual limb where the scar is, where the
4 amputation is. So he has issues associated with
5 pain there. He also has phantom limb pain, which
6 is the ghost pain that Mr. Strokovsky mentioned
7 earlier. So he has pain and he feels his foot. He
8 describes sometimes a tingling sensation, an
9 uncomfortable feeling associated with that. Those
10 are all causes of chronic pain.

11 In addition, Mr. Parks has a gait dysfunction,
12 meaning he doesn't walk symmetrically. Anyone who
13 has an amputation, even people who walk as good as
14 you can see them walk, still don't walk equally the
15 same on both limbs. So what that tends to do is
16 over time it causes strain on other parts of the
17 body. It's what we call compensatory overuse. So
18 people start to wear and tear faster. It's sort of
19 like you strip a gear in your car. It will run for
20 a while, but then the next gear starts to go and
21 pretty soon you're having major problems and you
22 have to get your car into the shop. So that
23 happens with our bodies.

24 And it's well reported that this happens in
25 all amputees. Low back pain, for example, is

1 reported as high as 80 percent in lower limb
2 amputees. Musculoskeletal problems associated with
3 gait dysfunction, extremely common happening within
4 the first year after an amputation. So all of
5 those things are on going.

6 In addition, Mr. Parks has had to use
7 crutches. He used his arms to push himself up when
8 he can't use his prosthesis. He had issues with
9 his shoulders. He had lower back pain. He's had
10 pain in his left leg, as well. So all of those
11 things contribute to his chronic pain syndrome.

12 Plus, he also deals with emotional pain.
13 That's a very real condition. It's been documented
14 speaking with Mr. Parks. It's also been noted in
15 his records that he has expressed frustration,
16 anxiety related to his condition. He is fearful of
17 having procedures done because of what has happened
18 to him and what he's been through. He's very
19 fearful of being hurt again.

20 Q. Are those common feelings for amputees?

21 A. Very common.

22 Q. Do you have a sense one way or another if Mr.
23 Parks' chronic pain syndrome is temporary or
24 permanent?

25 A. Mr. Parks has had his pain syndrome for the

1 past four years. It's not going to go away.

2 Q. So it's permanent?

3 A. It is permanent.

4 MR. STROKOVSKY: Mr. Bitman, if we
5 can go to the next bullet point, phantom limb
6 pain.

7 THE WITNESS: Could I add one other
8 thing? Not only is it permanent, again, as he
9 ages, he's going to be a very different person
10 than the Eddie Parks that you even see today.
11 Because, again, these compensatory wearing out
12 of our parts of the body become worse as we
13 age. All of us experience it, but it's even
14 more difficult when you're dealing with a high
15 level amputation.

16 MR. STROKOVSKY: Mr. Bitman, zoom in
17 on phantom limb pain.

18 BY MR. STROKOVSKY:

19 Q. Dr. Miknevich, again, it's your opinion that
20 Mr. Parks' phantom limb pain is as a result of his
21 amputation, correct?

22 A. That's correct.

23 Q. And you described what phantom limb pain is.
24 Actually, are there any other names or even
25 nicknames for phantom limb pain?

1 A. You mentioned the word "ghost" pain. I'm used
2 to calling it phantom limb pain.

3 Q. Is phantom limb pain common in amputees?

4 A. So phantom limb pain doesn't exist if you were
5 born without a limb, and it doesn't exist if you
6 had your amputation after you already lost feeling
7 in a limb. But for every other amputee, the
8 statistics are as high as 75 percent of all
9 amputees experience phantom limb pain, as well as
10 phantom sensation.

11 So they go together. Phantom sensation is you
12 feel the limb, but it's not particularly
13 bothersome. Phantom pain is when that becomes
14 bothersome and annoying, like when your foot is
15 asleep and you can't get it to stop, but at what
16 point is that painful, rather than just my foot is
17 numb.

18 Q. Do you have an understanding when Eddie
19 started to experience phantom limb pain?

20 A. From reviewing his records at Temple, he
21 experienced phantom limb pain immediately after the
22 amputation. It's well documented.

23 Q. Do you have an understanding of whether he
24 still is experiencing phantom limb pain?

25 A. From my assessments of Mr. Parks, he has told

1 me that he continues to experience phantom limb
2 pain. It's also documented as a diagnosis in
3 Dr. Tucker's notes.

4 Q. This is something he has been dealing with
5 ever since he started feeling it after his
6 amputation?

7 A. Yes, it is.

8 Q. Do you have a sense of whether or not this is
9 just a temporary or permanent condition?

10 A. Phantom limb pain is a very, very difficult
11 thing to treat. In Mr. Parks' case, he was started
12 in the hospital on gabapentin. He had a bad
13 reaction. He was blacking out from the medication.
14 They tried other medications with him, including
15 Baclofen. That didn't work.

16 Most recently I saw Dr. Tucker was trying him
17 on something called "Doxepin" to see if it would
18 help him sleep. I don't know the outcome of that
19 because that was done since I have seen him.

20 But the only thing that he has been able to
21 take, he was reluctant to stay on long-term
22 narcotics, he had such a bad reaction in the
23 hospital to them. And, again, people don't need to
24 be addicted to another medication. He has been
25 using medical marijuana that Dr. Tucker has been

1 prescribing for him.

2 Q. So is it your opinion that Mr. Parks' phantom
3 limb pain is permanent?

4 A. The phantom limb pain if it's going to go
5 away, tends to go away usually within the first
6 year. As I said, it's four years now. It has not
7 gone away and I believe it's permanent.

8 THE COURT: I hate to interrupt
9 testimony. Why don't we take our lunch break
10 now until 1:30 or soon thereafter.

11 When you are all together, we will
12 start up again, but remember my directions.
13 Keep an open mind until you hear it all.
14 Please don't discuss it or research this for
15 any reason. And I thank you so much for your
16 patience and your attention to this.

17 So with that, we are going to stand
18 in recess.

19 (Jury exits courtroom at 12:25 p.m.)

20 MR. STROKOVSKY: I hope over lunch
21 he will feel better. Obviously, we don't want
22 him in the courtroom if he will be in that
23 type of --

24 THE COURT: It's his right to be in
25 the courtroom. He can waive that right or

1 discuss it with you. He can excuse himself.
2 But, again, this is his trial, his right. I
3 don't think anyone would object to him
4 excusing himself if that was necessary.

5 MR. HOSMER: If he wants to leave,
6 that's up to him.

7 THE COURT: Exactly. You don't need
8 my permission for that, but you can talk with
9 your client.

10 MR. STROKOVSKY: Hopefully, again,
11 he is better in an hour, but if by chance it
12 doesn't reach a point where if by chance it is
13 bad, I don't want him to be a distraction to
14 everybody.

15 THE COURT: I'm not worried about
16 any of that. These are decisions we have to
17 make in real time representing a client at
18 trial. But you will make your judgment. It
19 may be that he doesn't feel willing to come
20 back for a little bit. I don't want to get
21 ahead of myself.

22 MR. STROKOVSKY: If that were to
23 happen --

24 THE COURT: You keep saying if. I
25 put the stop sign up. My obligation is to

1 deal within the now.

2 MR. STROKOVSKY: I would be curious
3 if a brief instruction to the jury that why he
4 has to step out --

5 MR. HOSMER: No.

6 THE COURT: Nope.

7 MR. STROKOVSKY: If anything, if
8 it's bad, we can put him in the back.

9 THE COURT: The jury assesses
10 credibility in every aspect of everything from
11 our shoeshines to our haircuts. So I don't
12 predict what they're valuing or not valuing.
13 It's up to all of us professionals to make our
14 best judgments with our clients. How is that?

15 MR. STROKOVSKY: Thank you, Your
16 Honor.

17 (Lunch recess.)

18 MR. HOSMER: The first is this,
19 Mr. Strokovsky and I entered into an agreement
20 that there would be no lost wage claim or
21 future lost earning capacity claim. That's
22 why I objected in the opening to references to
23 an inability to work, because it's not
24 relevant in my opinion.

25 And Mr. Strokovsky tells me he's

1 going to he elicit an opinion from Dr.
2 Miknevich that he can't work as a certified
3 nursing whatever he was, CNA assistant. So
4 I'm objecting I know ahead of time,
5 evidentiary et cetera, but inasmuch there is
6 no claim for lost earnings in the future or in
7 the past, the fact that he was a CNA for a
8 brief of period of time, should not come in.

9 THE COURT: Let me ask a practical
10 question. Is there going to be any economic
11 testimony with numbers and calculations and
12 expertise?

13 MR. HOSMER: Not as it pertains to
14 work.

15 THE COURT: So there will be no
16 evidence to support calculation as to lost
17 wages. I could understand it also being part
18 of the general damages, as I call them psychic
19 damages, pain and suffering, humiliation, loss
20 of life's pleasures. There is a lot of
21 categories fall into the person who is often
22 who they are and identity. I think it's
23 something for a jury to decide that his life
24 has been disrupted. But I will preclude any
25 testimony about the economics of his job as a

1 nurse's aide or certified nursing assistant.

2 Does that make sense?

3 MR. STROKOVSKY: Yes, Your Honor.

4 We have no intention of offering any economic
5 evidence.

6 MR. HOSMER: The second point, I
7 will probably let Mr. Strokovsky take this
8 part over. We have a stipulation as to
9 breaching the standard of care. We have a
10 stipulation that it caused the amputation and
11 that it caused several pre-amputation
12 procedures.

13 MR. STROKOVSKY: Yes, that's true.
14 But now it appears that he may on
15 cross-examination --

16 THE COURT: He?

17 MR. STROKOVSKY: Counsel intimated
18 that he now wishes on cross-examination to
19 say, well, can't debridements happen
20 regardless. But, again, we have a stipulation
21 that they're admitting liability and
22 causation, including those debridement
23 procedures. That's why I'm not calling my
24 causation expert, Dr. Amin. And you told me
25 there was no need to call Dr. Amin.

1 MR. HOSMER: I did say that.

2 MR. STROKOVSKY: You're admitting
3 liability, but trying back-door fighting right
4 now when it's clear he would not need any of
5 these debridements but for the malpractice.
6 If we want to bring back --

7 THE COURT: No, I can't do that.
8 This is the unfortunate consequence of
9 sometimes trial lawyers not completely being
10 on the same page with agreements, which is why
11 I favor them to be of record or in writing.

12 MR. HOSMER: Well, we did put it on
13 the record no, it says, pre-amputation
14 procedures.

15 THE COURT: We are in agreement that
16 you're not going to elicit testimony about
17 pre-amputation procedures?

18 MR. HOSMER: No, he is.

19 MR. STROKOVSKY: Are you talking to
20 me?

21 THE COURT: Yes.

22 MR. STROKOVSKY: Again, because it's
23 admitted that his pre-amputation procedures
24 are related to the amputation and we are not
25 talking about the initial procedures.

1 THE COURT: I guess listening to the
2 testimony of -- I have heard from the expert
3 that there is no ongoing consequence to the
4 prior procedures that may be as a neural
5 damage. I'm not sure what that was all about.
6 But that's why I thought that testimony was
7 being offered that the debridement and
8 desiccation and irritation, all that.

9 Let's get it clear. We have an
10 expert on the stand who is going to testify as
11 to the consequence of the injuries. I have
12 not heard anything that tells me, I don't know
13 how better to explain it, the bright line you
14 seem to be drawing.

15 MR. STROKOVSKY: So, Your Honor, the
16 bulk of the conditions are all going to be
17 flowing from the amputation, but the first
18 three weeks of his suffering as a result of
19 the malpractice of being stuck in his bed, his
20 muscles continued to die and die and die and
21 undergoing those procedures, that's pain and
22 suffering.

23 THE COURT: That's what I was going
24 to ask. That's testimony directed to pain and
25 suffering that he endured for those three

1 weeks in the hospital.

2 MR. STROKOVSKY: Right.

3 And we are not talking about what
4 happened in the ER or the day of the subject
5 malpractice. We are not talking about all
6 that. We are talking about what we agreed was
7 aftermath.

8 MR. HOSMER: So far I have agreed
9 with everything he said. The three weeks in
10 the hospital --

11 THE COURT: What happened to him
12 when he was enduring, heard eyewitnesses who
13 would testify regarding knowledge of what they
14 saw, what they heard. Where are we going
15 wrong?

16 MR. STROKOVSKY: He want -- counsel
17 wants to -- would like to cross-examine my
18 expert on the stuff that is undisputed about
19 the debridement procedures being a result and
20 I don't understand it. One --

21 THE COURT: That doesn't count.

22 MR. STROKOVSKY: -- it's not
23 relevant. It's unfairly prejudicial because
24 we have an admission to liability, including
25 those procedures, and then he's going to get

1 up there and stand there -- counsel is going
2 to get up there and try to make it sound like
3 those procedures are not related. That's
4 almost like trying to back door --

5 THE COURT: All right.

6 I hate asking counsel what his
7 cross-examination is going to be. But isn't
8 it fair if you go into those areas on
9 cross-examination, that the plaintiff is
10 entitled to redirect and demonstrate how this
11 expert, and any other subsequent experts, may
12 have an opinion as a result of that, if you go
13 there. I don't know if you're going go there.
14 Doesn't plaintiff have an opportunity then to
15 either rebut or otherwise redirect or cross
16 your witness?

17 MR. HOSMER: Yes, if I open a door,
18 of course, he is.

19 THE COURT: That sounds like it's
20 happening here. I don't know how we draw the
21 bright line. I understand, and I think we are
22 at least in a preliminary understanding that
23 the case, as it stands now, and by agreement
24 of counsel, that it is all the things that
25 happened in those three weeks that led up to

1 and including the amputation.

2 MR. HOSMER: Not quite. That is
3 because there is a fasciotomy that was done
4 that was necessitated --

5 THE COURT: I'm sorry, Doctor, you
6 lost me.

7 MR. HOSMER: I'm sorry. Mr. Parks
8 underwent --

9 THE COURT: I don't doctor; you
10 don't lawyer. Right?

11 MR. HOSMER: Mr. Parks underwent a
12 procedure by Dr. Lorei called a "fasciotomy"
13 that was necessitated by the injury that Mr.
14 Parks, the initial injury that he sustained.
15 They were needed regardless of the conduct of
16 Dr. Lorei. He would have required that
17 fasciotomy. You're saying no.

18 MR. STROKOVSKY: He required a
19 fasciotomy, but his tissue would not have died
20 requiring debridements subsequent to that.
21 They have no expert testimony to that effect.

22 I was told I had no need to call in
23 my causation expert because of the stipulation
24 to liability. So I told my causation expert,
25 who absolutely says all the debridements are

1 related for the delay in diagnosis. And then
2 if we -- and that opens the door to all the
3 mistakes that he made, as well. Well, he made
4 mistakes at ten. He made mistakes at
5 midnight. He made mistakes at two. He made
6 mistakes at four. He made mistakes at six.
7 So then we have to bring all that in for the
8 jury to weigh causation. I don't think you
9 want to bring in all the mistakes that were
10 made.

11 MR. HOSMER: I think he's making a
12 mountain of a molehill, Judge.

13 THE COURT: No, I do that. Let's
14 back it up and get it simple so we all
15 understand.

16 MR. HOSMER: Okay. I intend to ask
17 about -- I won't make a secret of it -- I
18 intend to ask about the fact that the
19 fasciotomy was necessitated by the injury and
20 not by any conduct of Dr. Lorei.

21 THE COURT: And you're going to ask
22 this doctor for that expert opinion?

23 MR. HOSMER: I have to because --

24 THE COURT: No, you don't have to.

25 MR. HOSMER: I am because --

1 THE COURT: Has she been qualified
2 or offered on that subject?

3 MR. HOSMER: She already said --

4 THE COURT: Please answer my
5 question. Has she been qualified to offer an
6 opinion on that subject?

7 MR. HOSMER: As you said, she was
8 qualified for the things for which she was
9 proffered.

10 MR. STROKOVSKY: I'm reading our
11 stipulation that we came to an agreement on
12 yesterday.

13 THE COURT: Take your time and read
14 it into the record.

15 MR. STROKOVSKY: Okay.

16 We stipulate -- he has a hard copy.
17 I have a photo of it, defense counsel. I'm
18 going to read it right now.

19 When Mr. Parks presented to Temple
20 University Hospital on December 30, 2018, with
21 a leg injury, his care was managed by
22 defendant, Dr. Matthew Lorei --

23 DR. LOREI: Lorei.

24 THE COURT: You have one of the most
25 qualified attorneys in the Commonwealth

1 representing you. Let him do his job.

2 DR. LOREI: Okay.

3 MR. STROKOVSKY: The next sentence
4 reads: Dr. Lorei is Defendant Temple
5 University Hospital, Inc.'s agent.

6 Dr. Lorei did not appreciate that
7 Mr. Parks had sustained a popliteal artery
8 injury. Consequently, there was inadequate
9 blood flow to Mr. Parks' right leg which
10 resulted in a through-the-knee amputation on
11 January 22, 2019.

12 Dr. Lorei admits he breached the
13 standard of care and his breaches resulted in
14 Mr. Parks' unfortunate amputation, as well as
15 several pre-amputation procedures.

16 MR. HOSMER: He read it correctly.
17 I have it here.

18 THE COURT: So pre-amputation
19 procedures, you are telling me now that you,
20 Counsel, are telling me now that that
21 expressly says that that is limited to one
22 medical condition that was misdiagnosed?

23 MR. HOSMER: I'm not saying that,
24 Judge. I'm simply pointing out that pictures
25 were shown of a leg wide open from a

1 fasciotomy procedure and the fasciotomy
2 procedure was necessitated by the injury
3 itself, the --

4 THE COURT: I don't think this
5 witness who is on the stand now, I don't think
6 that was evidence offered, right. It was just
7 as to her understanding of the consequences of
8 an amputation which included that three-week
9 period.

10 But to the extent that you're going
11 to cross-examine outside the scope of her
12 opinion, I think I'm going to preclude that.
13 If there is another witness that you're going
14 to offer in a defense of other events of
15 malpractice that are not before me, other
16 misjudgments of Temple's physicians, for
17 example, that caused something else. I mean,
18 it's consequential. You're losing me by going
19 over, I think, the scope of this witness'
20 testimony and how she's been offered.

21 MR. HOSMER: All right. The only
22 thing I can say, Judge, the jury saw a picture
23 of an open leg.

24 THE COURT: Open wound, right.

25 MR. HOSMER: Over my objection.

1 THE COURT: And that was offered for
2 something other than a medical opinion as to
3 the root causes of that open wound, but rather
4 it was just a fact of what that wound looked
5 like.

6 MR. HOSMER: The fact the wound
7 looked like, but the wound came about as a
8 result of the procedure that Dr. Lorei --

9 THE COURT: I don't know that.
10 Other than there has been a stipulation as to
11 Dr. Lorei's stipulation, right? He's not
12 contesting liability for whatever conduct or
13 omissions that occurred and Temple's accepted
14 that as their agent.

15 So, again, this witness has not been
16 offered to render expert opinions outside of
17 those which have been expressed in her opinion
18 and her testimony, and to show him that
19 photograph, the showing of that photograph,
20 has nothing do with, as I can tell,
21 foundationally or direct sense with criticisms
22 of or origins of other either concurrent or
23 overriding medical malpractice acts by other
24 Temple physicians.

25 MR. HOSMER: But it was presented

1 for the purpose of showing pain and suffering,
2 and that particular aspect --

3 THE COURT: Are you telling me that
4 the three weeks that we all agreed to of that
5 open wound does not constitute evidence of
6 pain and suffering?

7 MR. HOSMER: It gets a little
8 complicated. I'm not trying to make it
9 complicated.

10 THE COURT: Okay. So I got --

11 MR. HOSMER: The answer to your
12 question, I'm not trying to be funny, is yes
13 and no.

14 It's related in the sense that this
15 man needed the debridement procedures. We are
16 saying are related to Dr. Lorei's conduct.
17 However --

18 THE COURT: I'm now going to rule
19 that the photograph was offered based upon the
20 representations of counsel of why it was being
21 offered. It did not expand the scope of the
22 opinions of this witness, and I'm not going to
23 allow cross-examination which suggests that
24 other agents of Temple University or other
25 physicians or nonphysicians committed some

1 form of malpractice or contributed to this
2 condition. None of that is going to be
3 offered in this case.

4 MR. HOSMER: Agreed. But it was Dr.
5 Lorei who performed the fasciotomy that was
6 necessitated by the injury. The initial --

7 THE COURT: I'm sorry, at this point
8 I have a specific witness with specific
9 proffered expertise who's testified for the
10 basis of seeing that exhibit. It doesn't go
11 beyond that and it hasn't been a waiver of the
12 stipulation that the parties entered into, as
13 I heard the stipulation just repeated to me.

14 MR. HOSMER: I agree there's no
15 waiver of stipulation.

16 THE COURT: I'm saying we are not
17 going to entertain cross-examination that
18 would suggest culpability of nonparties or
19 nonagents of Temple.

20 MR. HOSMER: That won't happen,
21 Judge.

22 THE COURT: You're going to ask
23 about other medical conditions and treatments
24 that led to this open wound, right?

25 MR. HOSMER: No. I'm sorry if I'm

1 not being clear. I'm simply stating that Mr.
2 Parks -- there was an occurrence. He went to
3 Temple. He had developed something called
4 "compartment syndrome." That compartment
5 syndrome necessitated an operation called a
6 "fasciotomy" where you slice open the leg and
7 relieve the pressure within the leg. That
8 procedure was necessitated not because of Dr.
9 Lorei did something incorrect before. And
10 that procedure --

11 THE COURT: I know that how?

12 MR. HOSMER: Because it was the
13 initial procedure.

14 THE COURT: How do I know that as of
15 record here? I'm trying a case right now. I
16 have a witness with specific expertise.

17 MR. HOSMER: And this witness
18 testified --

19 THE COURT: Let me finish.

20 Again, I can't go around and around
21 on this. That I ruled that this witness'
22 testimony went to the existence of this open
23 wound, and as a result of that open wound
24 during that three-week period, which we have
25 all agreed is subject to this lawsuit, she

1 rendered opinions, this witness rendered
2 opinions. And you can cross-examine on the
3 opinions rendered, but you're not going to
4 expand into suggesting alternative causation
5 of other issues other than how this witness
6 has been offered.

7 Now, can I be clearer than that?
8 You may disagree with me.

9 MR. HOSMER: I don't think we
10 communicating.

11 THE COURT: Mr. Strokovsky agrees
12 that the fasciotomy was not as a result of
13 anything that Dr. Lorei did, correct?

14 MR. STROKOVSKY: Well, should I
15 speak, Your Honor? Do you want me to speak?

16 THE COURT: Briefly.

17 MR. STROKOVSKY: If this gets
18 brought up, it will open up a whole can of
19 worms.

20 THE COURT: No, it won't because I'm
21 going to hear objection if necessary. If I
22 don't hear objection, then I will rule on it.
23 But this is why we have trial objections.

24 MR. STROKOVSKY: The one thing that
25 is clear, so I don't have my causation expert

1 here, and, again, I told him he didn't have to
2 come because of our stipulation and that
3 wasn't necessary for per our discussion. A
4 fasciotomy was performed, and if a popliteal
5 artery was diagnosed and treated in time
6 during the course of eight-plus hours while
7 under care, there is no way he ever would have
8 those open wounds, but the incision to the
9 fasciotomy would have been closed up, Mr.
10 Parks would be fine and we wouldn't be here
11 today.

12 THE COURT: Respectfully, Doctor, I
13 can read your hand gestures. You have to rely
14 on your counsel.

15 MR. STROKOVSKY: There's an
16 admission of liability here.

17 THE COURT: I believe this undoes
18 the stipulation and the instruction I have
19 given or you've given in your openings.

20 I'm going to say that the suggestion
21 of other causes other than what has been
22 described as that three-week period ran up to
23 the amputation, that is what is before this
24 jury by agreement of the parties. Now, if
25 there is some misinterpretation, I'm not going

1 to allow even on cross-examination suggesting
2 other causation of injuries that is by
3 agreement of parties not before the Court.

4 Am I clear enough?

5 MR. HOSMER: I understand, Judge.

6 THE COURT: Otherwise, you wouldn't
7 have stipulated as to liability and causation.
8 I heard that crystal clear.

9 MR. HOSMER: I tried to be crystal
10 clear.

11 THE COURT: Do you understand my
12 ruling, both counsel?

13 MR. STROKOVSKY: Yes, Your Honor.

14 THE COURT: So we will bring the
15 jury in. We will complete this witness'
16 testimony.

17 Is the doctor local or does she have
18 to go back to Pittsburgh tonight?

19 MR. STROKOVSKY: I hope we will
20 finish her today.

21 Your Honor, this may speed things up
22 a little bit. At some point Dr. Miknevich's
23 direct exam discussing Mr. Parks' gait,
24 discussing his prosthetic, we would like the
25 opportunity to briefly allow Mr. Parks to

1 demonstrate his walking in front of Dr.
2 Miknevich, and then also to have Dr. Miknevich
3 show to the jury Mr. Parks' prosthetic and
4 explain what we are looking at.

5 THE COURT: So as to the prosthetic,
6 that seems relevant.

7 As to the demonstration of gait, is
8 that without objection, Counsel?

9 MR. HOSMER: No objection, Judge.

10 THE COURT: So, again, just be
11 economical, not to in any way impede the fair
12 and efficient presentation of your trial and
13 we appreciate all the parties cooperating.

14 (Jury enters courtroom at 2:00 p.m.)

15 THE COURT: Welcome back, ladies and
16 gentlemen. I hope you had a nice lunch.

17 You should know we continued to work
18 for the benefit of the efficient and fair
19 presentation in this case while you were
20 having your lunch. And it's really out of
21 deep respect for your time and dedication as
22 jurors in this matter.

23 Thank you so much.

24 So, Counsel, you have a witness on
25 the stand.

1 MR. STROKOVSKY: Thank you, Your
2 Honor.

3 Mr. Bitman, if we could please put
4 P-43 back on.

5 BY MR. STROKOVSKY:

6 Q. Dr. Miknevich, we just finished talking about
7 Mr. Parks' phantom limb pain. In lockstep with
8 what we have been doing, let's move on to the next
9 bullet point, residual limb pain.

10 Once again, if you can give me a refresher,
11 what is the residual limb?

12 A. So the residual limb is the part of the leg
13 that remains. So residual limb pain can be pain
14 anywhere within that extremity.

15 Q. What does it typically feel like?

16 A. Depending on what the cause of residual limb
17 pain is, it can be anything from discomfort from a
18 blister, let's say, that starts from the skin
19 rubbing or from callouses or a skin irritation, a
20 skin infection that can happen inside of a socket,
21 to deep muscular throbbing pain, to pain that is
22 electrical-type pain from the nerve endings that
23 have been cut and form little -- sort of when they
24 have no home, they have nowhere to grow, they tend
25 to form little balls that are called "neuromas" and

1 those can send off electrical signals almost like
2 if you got a nerve that was irritated in your
3 tooth.

4 There is a relation between residual limb pain
5 and also phantom limb pain. For example, in Mr.
6 Parks' case, when he gets residual limb pain, if it
7 becomes severe enough, it can become then shooting,
8 stabbing into the phantom limb, as well.

9 Q. Is residual limb pain common in amputees?

10 A. It's very common.

11 Q. What is your understanding as to when Eddie
12 started experiencing residual limb pain?

13 A. Eddie has had residual limb pain since his
14 amputation.

15 Q. Does he still experience it today?

16 A. Yes, he does.

17 Q. Has he been dealing with this since his
18 amputation?

19 A. Yes, he has.

20 Q. Do you have a general understanding of the
21 frequency?

22 A. So he has pain. He tries to -- he's been
23 trying to wear his prosthesis more and more,
24 despite the fact that he has pain.

25 But from my last conversation with him, he can

1 walk about 25 minutes. He has to stop at that
2 point. Sometimes he has to use his medication.
3 Sometimes he has to rest, take the limb off,
4 massage his limb.

5 Q. When you say "medication," do you mean medical
6 marijuana?

7 A. Yes, I do.

8 Q. Do you have an understanding as to how long
9 he's been using medical marijuana for pain relief?

10 A. Dr. Tucker has been prescribing that for years
11 now.

12 Q. What are some things that you -- have you
13 talked about the general things that can cause
14 residual limb pain in amputees. Could you tell us
15 some things, if any, that you know about Mr. Parks
16 that would contribute to his residual limb pain?

17 A. So Mr. Parks does have currently problems with
18 his skin. He has what we would refer to as
19 folliculitis or keratitis, which is an inflammation
20 of little hair follicles on the bottom of his limb.
21 Often that occurs with friction or rubbing-type
22 problems.

23 But he's had that at least since his January
24 visit with his physician. He had it when I saw him
25 for his most recent video evaluation. I got to see

1 a close-up photograph of it.

2 Q. Aside from -- you were talking before about
3 scar tissue and neuromas. Does that have any
4 application to what is going on with Eddie's
5 residual limb pain?

6 A. In Mr. Parks' case, I believe it does.

7 Q. Why is that?

8 A. Because he has pretty extensive scarring on
9 his residual limb. And at times he's sensitive
10 along the scar.

11 Q. And it was in the illustration demonstrating
12 Mr. Parks' amputation was a photo of Mr. Parks
13 postamputation; is that correct?

14 A. Yes.

15 Q. And you reviewed that photo, as well,
16 specifically in Temple's medical records, right?

17 A. Yes.

18 Q. And would reshowing that specific photo
19 isolated from the illustration briefly, would that
20 help explain to the jury your opinions regarding
21 scar tissue and neuromas?

22 A. If you wanted to show them again, I think
23 briefly, it would help them to understand how
24 extensive his scarring was.

25 MR. STROKOVSKY: Mr. Bitman, if you

1 Bitman.

2 Thank you.

3 BY MR. STROKOVSKY:

4 Q. I'm going to go a little bit out of order
5 here, but Mr. Parks' incision and healing of that
6 incision, does that have any significance for the
7 timing as to when he can get a prosthetic leg?

8 A. Yes. So in Mr. Parks' case, he was not able
9 to get a prosthesis until late in 2019. He still
10 had some stitches in. And he went to Dr. Lenrow
11 and subsequently Dr. Tucker, starting in the summer
12 of 2019.

13 Q. Was there any irregularities or any type of
14 swelling that you saw in that photo?

15 A. As I said, there was quite a bit of swelling.

16 Q. Does that have any impact as to when an
17 amputee can get a prosthetic?

18 A. So, typically, there are things called
19 "immediate-fit prosthesis," but normally we wait
20 until sutures are out. The limb size is stabilized
21 a little bit because as soon as you put somebody
22 into a shrinker or even a prosthetic socket, their
23 limb shape and size is going to change fairly
24 quickly.

25 They did not fit him until later in 2019.

1 Q. What is a shrinker?

2 A. A shrinker is sort of like a stretchy
3 compression sock but you wear it on your limb to
4 try to control some of the swelling.

5 Q. Do you know if one way or another Mr. Parks
6 ever wore a shrinker?

7 A. I don't.

8 Q. What is heterotopic ossification, Dr.
9 Miknevich?

10 A. Heterotopic ossification is a big word for
11 bone growth in tissues other than where it should
12 be.

13 Q. Is that something you see in amputees?

14 A. Yes. For many years, we thought it only
15 happened in traumatic amputees, but now we are
16 seeing it more. Other people are more aware of it,
17 even in patients who lose their limbs from other
18 causes.

19 Q. Can that be a source for pain?

20 A. Yes, depending on how large that bone growth
21 happens. We see people who it can literally look
22 like a fish hook or deer antlers stuck in the
23 bottom of their limb. It can poke through the
24 skin. It can rub nerves in the limb. It can be a
25 source of irritation and skin breakdown.

1 Q. Do you have an understanding as to whether or
2 not Mr. Parks has heterotopic ossification?

3 A. Heterotopic ossification in Mr. Parks' limb
4 was documented in an x-ray from July of 2019.

5 Q. Mr. Parks' residual limb pain, do you have an
6 opinion one way or another if it's temporary or
7 permanent?

8 A. Mr. Parks' residual limb pain has been going
9 on for four years. It's permanent.

10 MR. STROKOVSKY: Mr. Bitman, if we
11 can return to P-43, please. Go to the next
12 bullet point, which is difficulty sleeping
13 secondary to pain.

14 BY MR. STROKOVSKY:

15 Q. Dr. Miknevich, this is also a diagnosis that
16 you have for Mr. Parks as a result of his
17 amputation, correct?

18 A. Yes.

19 Q. What is your understanding about Eddie's
20 difficulty sleeping due to pain?

21 A. So this was present even when he was still in
22 Temple after the amputation. It was documented
23 that they were trying different medications to help
24 him sleep.

25 His pain sometimes is worse when he takes his

1 limb off, particularly if he's been on it a fair
2 amount during the day. And it interferes with his
3 ability to sleep, and because of that, he can be
4 groggy during the day. He can lose concentration,
5 have difficulty focusing.

6 Q. Is that something he's dealing with today?

7 A. That's something he continues to deal with at
8 least several days a week.

9 Q. So he's been dealing with this ever since his
10 amputation?

11 A. That's correct.

12 Q. Do you have a sense one way or another if his
13 sleeping problems are temporary or permanent?

14 A. His sleeping problems are permanent at this
15 point.

16 Q. So you already discussed the medications that
17 Mr. Parks has tried, as well as him using medical
18 marijuana. I don't think I asked this, though.
19 Medical marijuana, is that something you typically
20 see in your amputee patients?

21 A. Since it's been legalized in Pennsylvania, we
22 see it used a lot more for sleep and for pain.

23 Q. Aside from the medical marijuana, the prior
24 medications that didn't work and the other
25 medication you mentioned that he tried recently but

1 you don't know the results yet, does he do anything
2 else to try to alleviate his pain?

3 A. I know that some of the things he does, he
4 will try to massage or stretch his own limb.
5 That's been recommended by his doctor. And he's
6 done that.

7 He also had been going to the gym, trying to
8 work on distracting himself and just trying to
9 increase his activity.

10 Q. Do you know if he's been going to the gym
11 lately?

12 A. My understanding when I spoke to him last was
13 he was not going to the gym at that point.

14 Q. And did you have an understanding as to why he
15 wasn't going to the gym?

16 A. What he told me was that he had sort of
17 reached the point where he didn't feel he could
18 progress. He felt sort of awkward being there
19 because of him being an amputee and not really
20 having directed therapy. So he was in the process
21 of being ordered a new socket and my understanding
22 was they were going to resume physical therapy with
23 him after he got the new socket.

24 Q. Did Eddie express to you one way or another
25 about being excited to go get physical therapy

1 after his new socket?

2 A. He was looking forward to getting physical
3 therapy.

4 Q. How would you gauge Eddie's level of
5 motivation based off your interactions?

6 A. From the three visits that I have had with
7 Eddie, he has always been optimistic. He is trying
8 to work hard at wearing his leg. He is trying to
9 work hard at doing things with his son. Trying to
10 get more active. He still hopes that some day he's
11 going to be able to cook or do something that he
12 wants to do.

13 Q. I should have followed this up a couple of
14 questions ago, but regarding massaging the limb for
15 pain relief, is that something common you see in
16 your patients?

17 A. Yes. As I mentioned before, phantom limb is
18 difficult to treat, so is residual limb pain. So
19 we have patients do lots of things from mirror
20 therapy to massaging their limb.

21 MR. STROKOVSKY: Mr. Bitman, if we
22 could please go to the next bullet point,
23 which is gait dysfunction.

24 BY MR. STROKOVSKY:

25 Q. So gait dysfunction, that's a diagnosis you

1 believe Mr. Parks' has because of the loss of his
2 leg?

3 A. Yes.

4 Q. Can you just tell me what "gait dysfunction"
5 means again?

6 A. So gait is the process of walking. So gait
7 dysfunction is that he is just not walking
8 normally. He has continued difficulty with his
9 walking.

10 Q. Would it be any benefit for you in explaining
11 Mr. Parks' gait dysfunction to have Mr. Parks
12 briefly walk in the courtroom?

13 A. If he's willing, that would be good.

14 MR. STROKOVSKY: Are you ready?

15 MR. PARKS: Yes.

16 THE COURT: Without objection.

17 BY MR. STROKOVSKY:

18 Q. Dr. Miknevich, should he just start walking?

19 A. Yes. You can have him just walk forward.

20 When are watching a patient who has an
21 amputation, we watch them from all different
22 angles, from the front, the side, the back. What
23 we are looking for is that symmetry that I talked
24 about. So we are looking to see if his shoulders
25 stay level. If his hips stay level. Is he

1 swinging both legs appropriately.

2 And what has been noticed consistently with
3 Mr. Parks, which was another reason why we were
4 hoping he would get more physical therapy, is Mr.
5 Parks --

6 Mr. Parks can you walk once more?

7 MR. PARKS: Yes.

8 THE WITNESS: He doesn't swing the
9 right knee as well as he does the left. He
10 sort of hikes his hip. So he hikes up on the
11 left to sort of swing the right one through.
12 Sometimes he actually swings it around, which
13 Dr. Tucker referred to as circumduction, which
14 is what that is when he swings the leg around.

15 The fact that he doesn't bend his
16 knee when he puts his full weight on the foot
17 makes the leg act like it's too long and he
18 has to swing it or he will catch the toe.

19 BY MR. STROKOVSKY:

20 Q. So I believe you just mentioned a present
21 socket issue Eddie has been having with his
22 prosthetic?

23 A. Yes.

24 Q. And he's about to receive a new socket; is
25 that correct?

1 A. Yes. He had lost the fit of his socket and
2 was wearing like 20 ply of prosthetic socks to try
3 to get it to fit.

4 When that happens, when people's limbs lose
5 shape, they don't lose everywhere the same. So if
6 you just keep adding socks some places will be too
7 tight, other places will be too loose, and it
8 creates more friction rubbing problems.

9 Q. So are you saying it's your understanding that
10 at least at one point Mr. Parks would have to put
11 on 20 socks one by one over his limb?

12 A. So socks come in different ply or different
13 thicknesses. So there are some socks that one sock
14 is equal to five. But when you're up around 20
15 ply, that's a lot of socks.

16 Q. And he had to put that on just to try to have
17 fit into his prosthetic?

18 A. To keep his prosthesis from falling off, yes.

19 Q. You mentioned that Eddie is excited once he
20 gets a new socket to go back to physical therapy.
21 Do you think the physical therapy can help with his
22 gait?

23 A. I believe so. He's actually had very limited
24 physical therapy.

25 Q. Do you think that his gait can be completely

1 or no longer be dysfunctional?

2 A. As I said before, even when we do gait
3 studies, which they will have amputees walk on
4 treadmills and they monitor them with cameras and
5 energy costs like checking how much oxygen they're
6 using, even the best walkers as amputees still have
7 some asymmetry in their gait. So, no, even at its
8 best, there will be still some abnormality.

9 Q. So Mr. Parks will always have dysfunction with
10 his gait?

11 A. Yes.

12 Q. It's just a matter of degree?

13 A. Yes.

14 If I can make one other comment with that.

15 So the other point related to his gait is he
16 wears a computerized prosthesis which is great.
17 It's definitely something that is better than what
18 he had before, but essentially what the computer
19 does, there is a hydraulic cylinder in his knee on
20 his prosthesis that as he approaches different
21 surfaces, there are gyroscopes within the leg that
22 sort of say, We are going down a slope, we are
23 walking level, you can slow down, you can speed up.
24 But the reality is it's still basically a hinge.
25 It's nothing like your own knee. The best

1 prosthetic in the world will never replace your own
2 knee.

3 So there is still issues related to -- he has
4 had issues if it wasn't charged correctly, the knee
5 can stop swinging; it becomes completely stiff. If
6 the socket is not fitting, the knee might not sense
7 what position his leg is in and it can cause
8 instability and Mr. Parks has fallen. He's most
9 recently, that I was aware, was last year that he
10 had fallen.

11 MR. STROKOVSKY: Mr. Bitman, if we
12 can go back to the list. I would like to go
13 the next bullet point, limited endurance.

14 BY MR. STROKOVSKY:

15 Q. Dr. Miknevich, so Mr. Parks has limited
16 endurance as a result of this amputation, correct?

17 A. Yes.

18 Q. Can you just tell us what you mean by that
19 when you say limited endurance?

20 A. So Mr. Parks had said prior to his amputation,
21 he played sports. He walked miles. Now he can
22 walk several blocks, but that's about it. He tires
23 more easily.

24 And, again, people who walk abnormally use
25 more energy. It's harder to walk. It tires them

1 out more than people who walk completely normally.
2 So that gives him limited endurance.

3 Also, the fact that he doesn't sleep or his
4 sleep is disrupted also affects his endurance. So
5 there are days that he, as his father said, wants
6 to curl up in a ball, but there are other days that
7 he pushes himself and tries to do more. But then
8 those are often days that he complains of more
9 pain.

10 Q. You mentioned earlier that it's your
11 understanding that Mr. Parks can walk up to 25
12 minutes before needing to rest due to pain.

13 A. That was what Mr. Parks told me.

14 Q. How does limited endurance, what impact would
15 it have, if any, if Mr. Parks were to try other
16 things other than walking, like other types of
17 movements, I guess?

18 A. Could you give me an example?

19 Q. Sure.

20 For instance, let's say jogging on a
21 treadmill. Is Mr. Parks able to do that?

22 A. He might be able to do it for short periods of
23 time.

24 Q. Would that -- would his limited endurance have
25 an impact on how long he would be able to jog?

1 A. Yes.

2 Q. How about lifting things; would his limited
3 endurance have an impact on that?

4 A. Yes.

5 Q. Or bending, repetitive bending movements?

6 A. Any repetitive task. So it's a matter of
7 doing something on a consistent sustained basis.
8 So he can do things for brief periods, but he can't
9 do it for long periods of time consistently.

10 Q. Is it common in amputees to have limited
11 endurance?

12 A. Yes. There have been many studies done
13 looking at energy costs associated with walking
14 with an amputation, and, again, walking with Mr.
15 Parks' level of amputation is about 60 times more
16 effort than normal walking.

17 Q. What is his outlook with limited endurance?
18 Is that something he will always deal with or will
19 he -- is there a chance he will no longer have
20 endurance issues?

21 A. Well, again, since he was going to the gym, he
22 was doing somewhat better than he had initially
23 following the amputation. But as he continues to
24 get older, everyone, as they get older, your body
25 has a harder time extracting oxygen into the cells.

1 Your heart has a harder time pumping. Eddie
2 already has problems with his endurance because of
3 the amputation itself. So as he ages with the
4 amputation, his problems with endurance and ability
5 to sustain any type of activity is going to become
6 harder and harder.

7 Q. Do you think he can ever reach his endurance
8 level that he had pre-amputation?

9 A. Based on what he described to me, that he was
10 capable of doing the sports that he played,
11 running, the extensive bicycling, I do not think he
12 will ever get back to that.

13 Q. So his endurance will always be limited?

14 A. Yes.

15 MR. STROKOVSKY: Let's move to the
16 next bullet point, Mr. Bitman, history of
17 recurrent falls or near falls.

18 BY MR. STROKOVSKY:

19 Q. That's a condition that Mr. Parks has as a
20 result of his amputation?

21 A. Yes.

22 Q. And, I guess, it's pretty self-explanatory,
23 but I will ask it nonetheless. What does that
24 mean?

25 A. Okay. So what that means is a fall is

1 actually falling on the ground or falling out of
2 bed or falling somewhere.

3 Near falls are you stumble but you catch
4 yourself, which is one of the things that
5 microprocessors knee can do, is it has a feature
6 called "stumble recovery" so instead of the knee
7 just buckling suddenly, the computer says, Hey, we
8 are falling, and it stiffens up so the patient can
9 maybe catch themselves a little bit easier if they
10 are starting to fall.

11 But the issue with falls in patients who have
12 amputations like Mr. Parks as is in inclement
13 weather, on a wet floor, sudden twisting and
14 turning movements, it can confuse the prosthetic
15 knee. The foot has no feeling. The foot doesn't
16 have normal movement. So people are at risk of
17 falling.

18 Amputees, there are many studies that look at
19 fall risk, and there was a study from Canada that
20 looked --

21 MR. HOSMER: Objection; hearsay.

22 THE COURT: Overruled.

23 THE WITNESS: That looked at
24 patients who were in their early 60s and they
25 had over 400 patients, and 50 percent of them

1 had fallen within the previous year and the
2 other 50 were fearful of falling because they
3 had fallen before.

4 So it's a very high incidence. It's
5 something we ask amputees pretty much every
6 month when we see them in a clinic, how many
7 times have you fallen.

8 BY MR. STROKOVSKY:

9 Q. So falls or near falls are common in amputees?

10 A. Yes, it is.

11 Q. You talked about an amputee can fall even with
12 his or her prosthetic on, correct?

13 A. Yes.

14 Q. What about are amputees at risk of falling
15 when their prosthetic is off?

16 A. Yes.

17 Q. Could you explain a little bit what you have
18 seen in your patients who have fallen without their
19 prosthetic on?

20 A. Well, even with Mr. Parks, when he doesn't
21 have his prosthesis on, he is either using crutches
22 or a lot of times he will hop around the house on
23 one leg. Again, you step on grease in the kitchen,
24 you step on some water in the bathroom and you're
25 going down. There is no way he can catch himself.

1 Q. Is it fair to say that Mr. Parks has fallen or
2 had near falls ever since his amputation?

3 A. Yes, he has.

4 Q. Is he going to be at risk for this for the
5 rest of his life?

6 A. Yes. And, again, as I said with other things,
7 as he continues to get older and develops more
8 compensatory use problems with other joints, more
9 pain issues in his back, in his hip, in his other
10 knee, the risk of falls will even get worse.

11 MR. STROKOVSKY: Why don't we move
12 on, Mr. Bitman, to the second to last bullet
13 point.

14 BY MR. STROKOVSKY:

15 Q. We already discussed this, right?

16 A. Yes.

17 Q. So heterotopic ossification, that's a
18 condition that Mr. Parks has as a result of his
19 amputation, correct?

20 A. Yes.

21 Q. Is that something that is permanent?

22 A. Unless it's -- in some cases if it gets severe
23 enough that it's poking through the skin or causing
24 other significant problems, they will operate on
25 it. Usually, we try to avoid that if possible, but

1 sometimes there is no other option but to have it
2 surgically removed.

3 Q. And is it common for the bone growth to
4 continue over time?

5 A. Yes.

6 Q. We will move to the last bullet point and we
7 already briefly discussed this. So we don't need
8 to talk too, too much. But you already mentioned
9 that as a result of his amputation, and you
10 explained the reasons why, but Mr. Parks at times
11 will experience pain in other parts of his body,
12 correct?

13 A. Yes.

14 Q. That includes the left hip and thigh, the
15 right hip, the lower back, shoulders and emotional
16 pain, as well, correct?

17 A. Yes.

18 Q. Are these things that he has been dealing with
19 to some degree over the last four years?

20 A. Yes, he has.

21 Q. Are these things you think he will be dealing
22 with into the future?

23 A. Yes, they are.

24 Q. Do you think he will always be dealing with
25 these issues as he gets older?

1 A. As I said before, these issues become more and
2 more significant as people age.

3 MR. STROKOVSKY: Take that exhibit
4 down, Mr. Bitman. Thank you.

5 BY MR. STROKOVSKY:

6 Q. So, Doctor, we just went through a list of
7 diagnoses or conditions that Mr. Parks has as a
8 result of his amputation and the debridement
9 procedures as a result of what is already admitted
10 to, from these diagnoses and your evaluation, you
11 came up with future care recommendations; is that
12 correct?

13 A. That's correct.

14 Q. And this is to cover his anticipated care for
15 his whole life; is that correct?

16 A. Yes.

17 Q. So, I guess, in order to do that, is it your
18 typical practice to determine what the person,
19 whoever you're treating, or in this case, Mr.
20 Parks, what his life expectancy is?

21 A. Yes.

22 Q. And what is your opinion as to Mr. Parks' life
23 expectancy?

24 MR. HOSMER: Objection. Beyond the
25 scope of her qualifications and for what she's

1 been proffered and for the reasons mentioned
2 in my motion in limine.

3 THE COURT: I already ruled on your
4 motion in limine.

5 Overruled.

6 Can you answer the question, Doctor?

7 THE WITNESS: Yes.

8 So we typically use the United
9 States Government, the national vital
10 statistics life tables as the source for
11 looking at the current age someone is. And if
12 they look at different ways, they break down
13 the population. So we tend to use male or
14 female, so we will uses gender. And then we
15 tend to use the population for the whole life
16 for males for the United States as the basis,
17 or females if it was a female.

18 BY MR. STROKOVSKY:

19 Q. Using that particular life table, is that
20 common for experts in your field?

21 A. Yes, it is. We take into account what the
22 life tables looks at all individuals, so all males
23 in the United States, and projects how long they
24 may live. Occasionally, you will have a particular
25 condition, kidney failure, cancer, something that

1 may make them less likely to live than their normal
2 life expectancy.

3 Q. And what is your opinion, based off your
4 updated report, using that table, as to Mr. Parks'
5 future life expectancy?

6 A. I believe it was 44 years expected life
7 expectancy.

8 Q. So he is 32 now. I'm not too bad at math. I
9 think that means statistically per your table you
10 expect him to live until he's 76?

11 A. Yes.

12 Q. So we have 44 years of future potential
13 treatment to cover. So, Doctor, did you see a
14 similar list regarding your future care
15 recommendations that was similar to the list we
16 just went over with the diagnoses?

17 A. Yes.

18 Q. And do you feel that list is something that
19 would help the jury in explaining your future care
20 recommendations?

21 A. I believe it would, yes.

22 MR. STROKOVSKY: Mr. Bitman, if you
23 could please show Dr. Miknevich P-44.

24 THE COURT: Do you have an
25 objection?

1 MR. HOSMER: I do not.

2 THE COURT: You may publish.

3 BY MR. STROKOVSKY:

4 Q. Those are the bullet points, right, for
5 medical care recommendations?

6 A. Yes.

7 Q. Well, let's start with the first bullet point.
8 So, Dr. Miknevich, do you have recommendations for
9 Mr. Parks' future care in this field of medical
10 health consultations and interventions?

11 A. Yes.

12 Q. Can you please tell us what those
13 recommendations are? And I understand we are going
14 through a lot, so as was instructed earlier, if you
15 need to glance at your report to refresh your
16 recollection, please feel free to do so.

17 A. So based on my evaluation of Mr. Parks and his
18 current problems, it was anticipated that he will
19 need to follow with his physiatrist, that's
20 typically on an every six-month basis for the rest
21 of his life. That's so that his prosthetic supply
22 changes can be addressed. If he's starting to
23 develop other compensatory overuse kind of
24 problems, they can deal with those.

25 We also thought it was reasonable that he

1 periodically see an orthopedic surgeon related to
2 again compensatory overuse issues, the heterotopic
3 bone formation as that worsens as he ages. So I
4 think we put that as once every five years.

5 Q. These recommendations, is that what you
6 recommend for your own patients?

7 A. Yes.

8 Q. I think you just mentioned the frequency for
9 seeing an orthopedic surgeon or were you talking
10 about all doctors?

11 A. No. That was specifically for orthopedics.
12 He would only see them when he was referred.

13 Now, I also put in pain management. As I had
14 mentioned before, Mr. Parks is somewhat fearful of
15 the idea of other interventions that may help his
16 pain, but he did tell me when I spoke to him during
17 our last meeting, that if it was something that
18 would really be helpful, he was open to at least
19 exploring it.

20 He had briefly seen a pain management
21 physician earlier in his course, but that was
22 during COVID and that didn't continue.

23 Currently, Dr. Tucker orders his pain
24 medication. Physicians sometimes move on or things
25 change over a course of 44 years, so if he is not

1 ordering his pain medication, he would need a pain
2 physician to do that. Pain physicians can also
3 address other types of interventions to deal with
4 pain such as possibly injecting the neuromas that
5 he has or even consideration of something called a
6 "spinal cord stimulator."

7 Q. Have you seen in the past other patients of
8 yours having concerns or fears about going to the
9 hospital or seeing doctors?

10 MR. HOSMER: Objection; relevance.

11 THE COURT: Overruled.

12 THE WITNESS: Yes. People are very
13 fearful of having more procedures and things
14 done.

15 BY MR. STROKOVSKY:

16 Q. Regarding your medical health consultations
17 and recommended interventions, is there anything
18 else you would like to tell the jury before we move
19 on?

20 A. No.

21 Q. Okay. Then let's move on to the next one.

22 The next bullet point, x-rays, MRIs, EMGs. So
23 actually, you know what, I apologize, Doctor, just
24 to clean things up.

25 Everything we are going to talk about, about

1 future medical care, is it your opinion that's all
2 related or due to Mr. Parks' amputation?

3 A. Yes. Everything I have listed is directly
4 related to his amputation.

5 Q. All right. Back to x-rays, MRIs, EMGs.

6 So Mr. Parks is going to need x-rays, MRIs and
7 EMGs, correct?

8 A. That's correct.

9 Q. And why are you making those recommendations?

10 A. Because as we discussed, he's going to live
11 another 44 years. He is going to age with an
12 amputation, which, again, puts more strain on other
13 joints. He is already having pain in his left hip
14 and his lower back. Pain in his shoulders. That
15 is anticipated to continue to be a problem and
16 probably worsen as he ages, so he will need
17 periodic x-rays, MRI scans to further look at his
18 discs in his spine if those continue to worsen or
19 his back pain continues to worsen.

20 EMG studies, people who use arms and hands for
21 weight-bearing often can get things like carpal
22 tunnel. He was diagnosed with a pinched nerve in
23 his back by the pain doctor that he had seen. That
24 was done on the EMG test. So we had included a
25 couple of EMG studies for the future.

1 Q. These types of studies, do you make those
2 recommendations for your own patients?

3 A. Yes, I do.

4 Q. Let's move on to the next bullet point,
5 physical therapy -- physical and occupational
6 therapy and evaluation. So Mr. Parks is going to
7 require those?

8 A. Yes.

9 Q. And can you just tell us a little bit about
10 what that is and why you think that's necessary for
11 Mr. Parks.

12 A. So as we mentioned, he's going to be getting a
13 new socket. When he gets his new socket, it's
14 recommended that he be seen by physical therapy for
15 a short course of therapy to try to get him walking
16 more normally or as normally as he can. With the
17 physical therapy, sort of get him on a good program
18 that he could then continue when he goes back to
19 the gym.

20 Occupational therapy looks at not only
21 weight-bearing joints in the shoulders, but it also
22 looks at things associated with equipment needs
23 that he might need in the home to help with his
24 self-care. Again, especially as he gets older.
25 And every time he gets a new -- as technology keeps

1 changing and improving, if he gets a different type
2 of prosthetic leg that does something different
3 than what he has now, he may need additional
4 therapy to learn how to use it.

5 Q. And I just heard you say medical equipment
6 needs -- actually, strike that.

7 Physical therapy, occupational therapy, you
8 make those recommendations for your own patients,
9 correct?

10 A. Yes.

11 Q. But let's move on to medical equipment needs.

12 Mr. Parks is going to need medical equipment
13 in the future; is that correct?

14 A. That's correct.

15 Q. What type of equipment do you feel that he is
16 going to need?

17 A. Well, again, we are looking at Mr. Parks over
18 a 40-plus-year life expectancy. Right now he
19 doesn't need things like a hospital bed, but as he
20 ages, that's going to probably become something
21 that he will need. I see that happening with
22 patients that I currently treat as they end up
23 needing joint replacements because they wear out a
24 different joint or have worsening back problems or
25 can no longer ambulate. So that type of

1 equipment -- I don't know if we have wheelchairs
2 under medical equipment or if that's a separate.

3 Q. Feel free to look at your report, if you'd
4 like.

5 A. If we can just take the highlighted medical
6 equipment needs off, then I can see the rest of it.

7 Q. Wheelchairs and scooters?

8 A. We have it separately.

9 Other medical equipment, for example, safety
10 in the shower is very important when you're an
11 amputee. So things like grab bars, a tub bench so
12 he doesn't slip and fall in the shower because,
13 again, it's wet and he has one leg. Those are
14 concerns.

15 Q. You mentioned you know this is over the course
16 of 40-plus years, and coincidentally you have been
17 treating amputees for 40-plus years; is that
18 correct?

19 A. Well, 39.

20 Q. Almost 40 years.

21 So have you treated amputee patients of your
22 own where you see them at a younger age and you've
23 seen them grow older?

24 A. I have many patients that I have seen.

25 Q. When you're talking about the problems you see

1 as amputees age, is that a common thing that you
2 have seen in your own patients?

3 A. Yes. As I said, I see the need for joint
4 replacements. I have injected their joints. I'm
5 ordering imaging for them. I'm ordering new
6 equipment for their homes stair glides, grab bars,
7 other types of equipment that they may need to keep
8 them safe as they get older.

9 Q. Let's move on to the next bullet point, and
10 this is one we will spend a little more time on
11 than the others. Prosthetics and supplies for the
12 prosthetics. Is it fair that -- silly question --
13 he's obviously not getting his leg back. He is
14 going to need prosthetics for the rest of his life?

15 A. That's correct.

16 Q. How long do prosthetics typically last?

17 A. So on most prosthetic components, particularly
18 some of the higher tech components like he has his
19 knee, they come with a three-year warranty period,
20 so they're quite costly. And to try to get them
21 repaired once they are out of warranty, maybe to do
22 a minor adjustment, could be thousands and
23 thousands of dollars. So usually once it's out of
24 warranty period, it malfunctions, we get them
25 replaced.

1 Q. And you know the type of prosthetic that Mr.
2 Parks has right now, correct?

3 A. Yes.

4 Q. Is it the same prosthetic he has always had?

5 A. No.

6 Q. So you mentioned before he didn't really have
7 a prosthetic the first year, 2019, and then towards
8 the end of 2019, he was able to start getting a
9 prosthetic; is that correct?

10 A. That's correct.

11 Q. Do you know what type of prosthetic that first
12 one was?

13 A. Yes. So it was -- basically, it had a
14 mechanical knee unit, which because of the length
15 of Mr. Parks' residual limb, it's something called
16 a polycentric knee, where instead of the hinge
17 sticking straight out, it folds back on itself.
18 But it is just the mechanical knee, so if you are
19 going down a grade or stepping the wrong way, it
20 can buckle abruptly. So a much more basic
21 prosthesis.

22 Q. It's my understanding for at least Mr. Parks'
23 current prosthetic, it's customized to his residual
24 limb; is that correct?

25 A. Yes.

1 Q. And by doing that, a prosthetist -- and a
2 prosthetist is a professional who makes the
3 prosthetic; is that correct?

4 A. That's correct.

5 Q. That process includes making a cast, or I
6 don't know if mold would be the right word, to
7 understand the size and shape of the residual limb?

8 A. So there are different ways that they do it.
9 To take a cast of the limb is very commonly done.
10 Some practitioners will scan the residual limb and
11 make it computerized CAM mold and make it from
12 there.

13 But, typically, it involves several visits for
14 making the cast, making what they call a check or
15 test socket, seeing how that fits, adjusting it,
16 bringing the patient back again. And this goes on
17 often for four or five visits before they
18 eventually get the new socket.

19 Q. For his first leg that he started the process
20 in 2019, did that also require a similar process to
21 make it?

22 A. Yes.

23 Q. And then after it's made, he then has to learn
24 how it use it, right?

25 A. That's correct.

1 Q. And is that typically easy for your patients?

2 A. Not as new amputees. Especially, above the
3 knee is more difficult than below the knee. But
4 even below-the-knee people typically need some
5 physical therapy to be able to use the prosthesis
6 correctly.

7 Q. And then after a course of physical therapy,
8 if the patient gets to a baseline or good enough to
9 use it at home, then they can start using it; is
10 that accurate?

11 A. Yes.

12 Q. And is that the process that Mr. Parks went
13 through for his first leg?

14 A. Yes.

15 Q. Is it your understanding that at least at
16 first, Mr. Parks would use other assistive devices
17 like a cane to walk?

18 A. Yes, crutches, cane.

19 Q. And we talked about how now he can walk 25
20 minutes at a time. When he got the first leg, did
21 you have an understanding if he was able to do that
22 then?

23 A. No, he could not do that then.

24 Q. And then was -- is it fair it was about a year
25 after --

1 MR. HOSMER: Objection; leading.

2 THE COURT: Overruled.

3 BY MR. STROKOVSKY:

4 Q. Is it fair it was about a year after he got
5 his starter prosthetic for when he got the
6 prosthetic he has now?

7 A. Yes.

8 Q. Again, it's the same process. He has to get,
9 I guess, approved for it, get it casted, make sure
10 it fits and then be trained on it?

11 A. So he had to wear the preparatory or the
12 initial prosthesis and show again the motivation
13 and, basically, the ability to progress his walking
14 to become a community ambulator for him to qualify
15 for the computerized knee, which he did, and that
16 was about a year later.

17 Q. We talked about the casting process. Did you
18 get to see a video of Mr. Parks actually going
19 through that casting process?

20 A. Yes, I did.

21 Q. Do you think showing that video of the casting
22 process would help the jury understand the process
23 of what an amputee has to go through in getting a
24 prosthetic?

25 A. I think it would be helpful.

1 MR. STROKOVSKY: Mr. Bitman, if you
2 can show to Dr. Miknevich first P-21.

3 THE COURT: Without objection?

4 MR. HOSMER: Correct.

5 THE COURT: Thank you.

6 You may proceed, Counsel.

7 BY MR. STROKOVSKY:

8 Q. Is that the video you saw?

9 A. Yes.

10 MR. STROKOVSKY: Maybe, Mr. Bitman,
11 if you can start it from the beginning and
12 we'll play it for the jury.

13 BY MR. STROKOVSKY:

14 Q. Dr. Miknevich, if you could just explain to
15 the jury what we are looking at after he hits play.

16 A. This is his prosthetist. He's making a cast
17 of Mr. Parks' residual limb. From that cast, he is
18 going to fill that cast. And from that, he is
19 going to pull a socket over it and make a socket.

20 They have to wait for that to dry so they can
21 remove it.

22 So if the patient -- somebody whose volume is
23 changing, the casts are not made to adjust for
24 volume. There are some newer socket designs that
25 are volume adjustable, which is what he has been

1 ordered for his new socket because his limb volume
2 does change.

3 MR. STROKOVSKY: You can stop the
4 video, Mr. Bitman. Thank you.

5 BY MR. STROKOVSKY:

6 Q. So Mr. Parks' current prosthetic, that's
7 better than his first prosthetic type, right?

8 A. Yes.

9 Q. But how does it compare to an actual leg?

10 A. It will never be remotely close to his own
11 leg.

12 Q. Dr. Miknevich, if Mr. Parks would be able to
13 take off his prosthetic so you can show the jury
14 the components of his prosthetic, do you think that
15 would be helpful in having the jury understand how
16 a prosthetic is used and applied?

17 A. If Mr. Parks is willing to do that and the
18 Judge is willing to do that.

19 THE COURT: Without objection?

20 MR. HOSMER: There is an objection.

21 THE COURT: I will overrule that
22 objection.

23 MR. PARKS: I'm okay with it, if you
24 are.

25 MR. STROKOVSKY: If I may, Your

1 Honor, if we could have one minute where Mr.
2 Parks could perhaps go behind the screen to
3 take his leg off.

4 THE COURT: Is there anything short
5 of asking Mr. Parks to remove the leg that you
6 need to form an opinion, Doctor?

7 THE WITNESS: The purpose was to
8 help the jury understand what his components
9 are in his prosthesis.

10 MR. STROKOVSKY: And, also, just to
11 show the day-to-day life of being an amputee
12 using a prosthesis. I think it really shows
13 again his day-to-day life, something he has to
14 do every single day, and he's going to have to
15 do for the rest of his life. That's why we
16 find it highly relevant.

17 THE COURT: I will allow it.

18 MR. STROKOVSKY: Eddie, if you can
19 just come back here for one second and take
20 off your leg.

21 May I approach, Your Honor?

22 THE COURT: You may.

23 THE WITNESS: Jordan, if you want to
24 show it to the jury, I can explain the parts.

25 THE COURT: Let's do that just for

1 the benefit of the court reporter. What we
2 have is the plaintiff's prosthetic leg that
3 has been removed. It's not going to be
4 entered as an exhibit, but rather as a
5 demonstration for the purposes of supporting
6 the expert's testimony.

7 May we proceed?

8 MR. STROKOVSKY: Yes.

9 BY MR. STROKOVSKY:

10 Q. First off, do you have a sense how heavy this
11 is?

12 A. The knee itself weighs 3 pounds. The foot is
13 probably another pound and a half. Those things
14 are often 8, 10 pounds.

15 Q. I probably need to hit the gym. It felt
16 heavier than that.

17 MR. HOSMER: Objection.

18 THE COURT: My first problem is
19 you're not on the microphone, so we lose that.
20 So please move that table mic to the furthest
21 corner.

22 MR. STROKOVSKY: I will strike that
23 comment, I apologize.

24 THE COURT: We don't editorialize.
25 We just ask questions and hope the witness can

1 answer.

2 BY MR. STROKOVSKY:

3 Q. So start at the top or --

4 A. The thing they're not seeing is Mr. Parks has
5 a liner on his skin.

6 Q. We can bring him out.

7 THE COURT: No, that's not
8 necessary. Describe it, please.

9 THE WITNESS: It's a gel liner. I
10 always describe them that they feel like gummy
11 bears. But it forms an interface between the
12 skin and the socket because there is always
13 movement between the bones, the tissue, the
14 socket. So when people sweat, when people rub
15 inside the socket or it gets too loose or too
16 tight, they can rub sores on the limbs. The
17 purpose of the gel liner is to try to reduce
18 it. It doesn't reduce it completely. People
19 still run into issues with excessive sweating
20 and skin issues.

21 Again, Mr. Parks' has had issues
22 with his skin, folliculitis. Skin irritation
23 of hair follicles on his limb from wearing the
24 limb.

25 So over the gel liner fits a socket

1 and the socket is the cup that his leg fits
2 down inside.

3 BY MR. STROKOVSKY:

4 Q. In here?

5 A. Yes.

6 Inside of there they put a flexible material
7 to just give him a little more cushion. That's the
8 clear white material that you can see on the brim
9 and where the windows are in the socket.

10 And then we have the computerized knee.
11 That's that particular knee is called the C-leg.

12 Q. Where is that around here?

13 A. That whole thing in your hand.

14 So that has to be plugged in and charged on a
15 regular basis. Typically, people do it every night
16 to allow the knee to swing and to move.

17 Q. Is a prosthetic like this like an electrical
18 toothbrush, if it's not charged, you can still
19 manually use it?

20 A. So it depends on the type of microprocessor
21 knee. With the C-leg, if you don't charge it, it
22 becomes stiff, so he will be walking sort of like a
23 peg-leg.

24 There are other manufacturers that make them
25 and if you don't charge theirs, it becomes

1 free-swinging. So there are differences.

2 THE COURT: Anything more with the
3 prosthesis?

4 MR. STROKOVSKY: Sure.

5 BY MR. STROKOVSKY:

6 Q. What are these clear things we see here?

7 A. Those are windows. So that is between the
8 hard socket and the flexible socket. They put the
9 window in the front because of Eddie's skin
10 irritations. And then the back they typically do
11 it to allow him to sit more level, because,
12 otherwise, the socket is round and it tends to push
13 people up when they are sitting and make their
14 sitting balance worse when they are sitting on a
15 chair.

16 Q. How would turning this into a window help with
17 skin issues?

18 A. If the bone is hitting into the socket, that
19 material has a little more give to it than the hard
20 material.

21 Q. Is there anything else?

22 A. Just the prosthetic foot, if you want to pull
23 the sock off.

24 Q. Before I forget, is this the charger back
25 here?

1 A. Yes, that's the hydraulic cylinder in the
2 back. You can see that. That moves by the
3 computer.

4 So the type of foot he has is something called
5 an "energy-storing foot" which typically they're
6 made out of carbon. And when he loads his weight,
7 it gives him some spring-back. But because of the
8 length of his leg, his is a fairly low profile, so
9 it doesn't have as much movement as somebody who
10 had a shorter leg, let's say.

11 Q. How did this get put on? How did it get put
12 on? So he would have to put his liner on --

13 THE COURT: Counsel, step back a
14 little bit from the jury. Thank you.

15 THE WITNESS: He would have to put
16 his liner on and he wears a seal ring that he
17 rolls up over the liner -- it's sort of like a
18 mason jar ring -- and he pushes his leg down
19 into the socket to keep it on.

20 BY MR. STROKOVSKY:

21 Q. Do you know how long that typically takes an
22 amputee?

23 A. It depends. Some people have more swelling in
24 the mornings and it takes them a bit longer on
25 certain days. It varies.

1 Q. Is there anything else while we have this
2 here?

3 A. The only other thing to just show with that
4 foot is even though the foot moves because he loads
5 energy into the carbon, it doesn't move like a foot
6 moves. I mean, it's quite stiff.

7 Q. What is the significance of that?

8 A. Well, we discussed before some of the fall
9 risks. You know, walking on uneven surfaces, the
10 feet don't give, your own foot would give. There
11 is no rotation in that device if you're twisting.
12 So somebody who is cooking, let's say, may need to
13 go from side to side very quickly. They're not
14 going to be able to do that as well. Or somebody
15 who is a golfer may need something with more
16 rotation put into it.

17 Q. Is there anything else?

18 A. No.

19 MR. STROKOVSKY: I will be right
20 back.

21 THE COURT: Counsel, just from a
22 standpoint of time management, how much more
23 do you think you have with your direct
24 examination of the doctor?

25 MR. STROKOVSKY: We are getting

1 closer to the end. Just a few more -- it
2 would be hopefully no more than a half hour.

3 THE COURT: Please proceed with
4 alacrity.

5 MR. STROKOVSKY: Understood. Thank
6 you, Your Honor.

7 BY MR. STROKOVSKY:

8 Q. Dr. Miknevich, you mentioned liners.

9 Typically, what material are liners?

10 A. Sometimes they're silicone. Sometimes they
11 are a mineral oil or a polyurethane material.

12 Q. I think you mentioned, as well, that the skin
13 sweats when a prosthetic is being used; is that
14 correct?

15 A. Yes.

16 Q. Does that sweat go onto the liner?

17 A. It sits inside the liner.

18 Q. Is there any type of maintenance that an
19 amputee has to do to maintain the liner?

20 A. Yes. They have to wash the liner every day.
21 Again, if they're building up sweat, they can --
22 the liner can actually slide off their leg during
23 the day. So sometimes they have to stop and dry
24 their leg off. Sometimes we use antiperspirant.

25 There are some liner designs that are supposed

1 to take more sweat off than others, away than other
2 ones, but there are not any that have a seal like
3 he has.

4 Q. How do they get clean?

5 A. Usually, with soap and water.

6 Q. Is there a particular type of soap?

7 A. Usually, we recommend liquid hand soap.

8 Q. What happens if an amputee doesn't wash the
9 liner?

10 A. They're prone to get skin infections, fungal
11 infections, bacterial infections of the skin,
12 rashes.

13 Q. When a prosthetic is being used with things
14 like the limb itself, the liners or any plies that
15 are used, can they give off a smell?

16 A. Yes. Because the liners are made out of
17 rubber sort of material, silicone material, they
18 typically do have an odor.

19 Q. Regarding skin issues, you mentioned earlier,
20 I believe, that you noticed Mr. Parks with, I
21 believe, you called it folliculitis?

22 A. Yes.

23 Q. This year?

24 A. Yes.

25 Q. What exactly is folliculitis?

1 A. We all have hair follicles on our extremities,
2 on our faces. So when those hair follicles get
3 plugged, they can create little bumps that can
4 sometimes get a little white top on them and act
5 like a pimple. Sometimes they can actually become
6 an abscess or cause more issues. But we see them
7 in the amputees often with issues related to sweat,
8 as well as issues related to friction and pressure.

9 Q. You saw that while you were on a Zoom call
10 with Mr. Parks; is that correct?

11 A. Yes. And subsequently saw a photo that showed
12 it much more clearly.

13 Q. Photos taken during that session?

14 A. During that session, yes.

15 Q. And you have looked at those photos?

16 A. Yes, I have.

17 Q. Do those photos fairly and accurately depict
18 his skin condition at that time?

19 A. Yes they do.

20 MR. STROKOVSKY: Mr. Bitman, if you
21 can show Dr. Miknevich P-31, which are
22 photographs taken on February 9, 2023.

23 THE COURT: Exhibit 1?

24 MR. STROKOVSKY: P-31, Your Honor.

25 THE COURT: Without objection?

1 MR. HOSMER: I have to see it, Your
2 Honor.

3 MR. STROKOVSKY: Mr. Bitman, if you
4 could publish that for Mr. Hosmer.

5 MR. HOSMER: I see it here, Your
6 Honor. If that's what she's going to testify
7 to, I have no objection.

8 THE COURT: Thank you.
9 Proceed.

10 BY MR. STROKOVSKY:

11 Q. Looking at P-31A, again, that's a photo of
12 what you saw that day?

13 A. Yes.

14 MR. STROKOVSKY: If we can publish
15 that to the jury, please?

16 THE COURT: You may.

17 THE WITNESS: So as I mentioned
18 before with his socket, they have certain
19 areas where they cut out the hard socket. So
20 in patients with a very long limb, that bone
21 sort of acts like a bell clapper and hits
22 against the side in the socket. So he's
23 forming a callous down around that edge of the
24 bone. And they did put a window there to try
25 to relieve that. But, again, they're making

1 him a new socket.

2 But this, you look in the middle of
3 that, as well as some other places on his
4 limb, you're seeing little bumps and little
5 almost little crater areas that are signs of
6 the skin irritation.

7 BY MR. STROKOVSKY:

8 Q. Could I try pointing it out with the laser
9 pointer and let me know if I'm on the right spot.

10 What is this?

11 A. That's the callous with some of them in the
12 limb. Even if you come up higher on his leg toward
13 the top up in there, there is a lot more of them.

14 Q. What is the significance of having these?

15 A. Again, to us, that can be painful. Patients
16 can complain of pain with them. They can get
17 worse. They can get infected.

18 Again, for us, we often recognize that there
19 is a sign that the patient is getting too much
20 friction or pressure in the socket. Something
21 needs to be done.

22 MR. STROKOVSKY: Mr. Bitman, can you
23 please show for the parties and Dr. Miknevich
24 P-31B.

25

1 BY MR. STROKOVSKY:

2 Q. Is this also a picture that you saw that day?

3 THE COURT: Without objection?

4 MR. HOSMER: No objection, Your
5 Honor.

6 THE COURT: Thank you.

7 THE WITNESS: Yes, it is.

8 MR. STROKOVSKY: If we could publish
9 that, Your Honor.

10 THE COURT: You may.

11 THE WITNESS: That picture is really
12 just showing, because he still has his femoral
13 condyles from his knee, but he just doesn't
14 have the rest of the knee. The lower part of
15 his residual limb is fairly high which, again,
16 can create fitting problems. But it's also
17 showing his scar and there is an area in the
18 mid portion of the scar where that tissue is
19 adherent.

20 MR. STROKOVSKY: Zoom in on the
21 scar, Mr. Bitman.

22 BY MR. STROKOVSKY:

23 Q. Is there anything else you would like to point
24 out right now, Dr. Miknevich?

25 A. No. Those adherent areas can sometimes be a

1 source where the skin will get more irritated
2 because it doesn't move more freely there.

3 Q. This area right here, is that the fist --

4 A. That's the callous that we saw, yes.

5 Q. This here, is this the --

6 A. That's the one in the front, yes.

7 Q. What, if you know, again, if you don't know,
8 that's perfectly fine, what are we looking at here?

9 A. Those are leftover marks from the retention
10 sutures he had in when he had the original
11 amputation.

12 MR. STROKOVSKY: Take that down.

13 BY MR. STROKOVSKY:

14 Q. Do you expect Mr. Parks to be at risk for skin
15 issues in the future?

16 A. Yes.

17 Q. Is that a risk that will ever go away?

18 A. No.

19 Q. Back to Mr. Parks' prosthetic, I think you
20 mentioned before about if it's not being charged
21 and things like that. But what about if there are
22 any issues with some of its parts; does that have
23 any significance?

24 A. If there is problems with any of the parts, it
25 won't work.

1 Q. And --

2 A. Or it may work incorrectly.

3 Q. Do you know if one way or another if Mr. Parks
4 has had issues with his prosthetic pertaining to
5 parts in the past?

6 A. Yes, he has. He has had issues with his liner
7 actually falling off on one occasion, at least that
8 I saw in his prosthetist's notes.

9 He's also had mechanical issues with the knee
10 not working and they thought that was due to the
11 problems that his socket wasn't fitting and it
12 wasn't triggering the knee correctly.

13 Q. And as we saw in that video of him getting
14 casted, it's expected he will get a new socket; is
15 that correct?

16 A. Yes.

17 Q. Is this the first new socket since he received
18 his leg?

19 A. No. He has had, I want to say, three sockets.

20 MR. STROKOVSKY: Mr. Bitman, if we
21 could go back to the medical care
22 recommendations exhibit. Thank you.

23 BY MR. STROKOVSKY:

24 Q. So let's talk specifically about the
25 prosthetics and prosthetic parts that Mr. Parks

1 will need.

2 So we see an Otto Bock C-leg 4 microprocessor
3 every five years. It's your opinion that Mr. Parks
4 will require that prosthetic every five years?

5 A. Yes. Typically, the warranty is up in three
6 years so we would say three to five. But as he
7 gets older, he will be relying on some other
8 mobility things such as possibly a scooter. So we
9 reduce the frequency of it to be conservative.

10 Q. So, basically, you think currently he may need
11 one every few years, but you're just factoring in
12 he may not need it as he gets much older?

13 A. Correct. Or he may not be able to use it when
14 he is in his late 70s.

15 Q. Underneath that we see annual maintenance. So
16 that's a recommendation you have, correct?

17 A. Yes.

18 Q. What does that mean?

19 A. Just that they check all the components, make
20 sure they're safe; that he is not going to have
21 something snap or break because it is mechanical.

22 Q. Layperson's terms, is it similar to getting
23 your car inspected every year?

24 A. Exactly.

25 Q. Let's go down to the next part, socket

1 replacement every two three years.

2 So that's a recommendation you have, right?

3 A. Yes.

4 Q. And can you explain to us, again, why, I
5 guess, what the purpose of the socket is and why
6 it's necessary to change out every two to three
7 years?

8 A. So, again, the socket is what attaches the leg
9 to the patient. So, again, any changes in weight,
10 Mr. Parks has had some weight changes throughout
11 this period of time. If he loses weight, gains
12 weight, develops sores, it may necessitate a new
13 socket. So we typically replace the socket more
14 frequently than we replace the other components.

15 Q. In the amputees that you treat, do you see
16 them requiring new sockets due to weight change?

17 A. Yes.

18 Q. In your experience, hypothetically, if Mr.
19 Parks were to gain weight, what is a certain amount
20 of weight that would make you think he might need a
21 new socket?

22 A. Really depends. We have some patients who it
23 can be as little as 10 pounds can make a huge
24 difference. They just can't get it on. Other
25 people, it may be more than that.

1 Q. Have you seen in your patients, weights
2 fluctuate up and down over the course of the years
3 you treat them?

4 A. Yes. And, also, as people tend to get older,
5 we see more issues with what we call edema or
6 swelling. So that can create significant problems,
7 as well, with the fit of the socket if the patient
8 is holding water or retaining water.

9 Q. And next we have liners and socks. We already
10 spoke about liners and socks, but, generally
11 speaking --

12 A. Every six months.

13 Q. Every six months Mr. Parks needs new liners
14 and socks?

15 A. Yes, because they wear out and they stretch
16 out.

17 Q. And let's go to the next line, water leg
18 prosthetic with maintenance, supplies, socket
19 replacements. First off, can you tell us what is
20 water leg?

21 A. So a water utility leg is basically a
22 prosthesis that you can get wet. Mr. Parks liked
23 to swim before. That was something he wanted to
24 do. His current microprocessor C-leg is not
25 waterproof. If he gets that wet, he will ruin the

1 knee.

2 So this is a much more basic prosthesis, but
3 none of the parts would rust if they get wet. So
4 he has been fearful of being in the shower around
5 the water in the shower. So you can wear a water
6 prosthesis into the shower for safety. He could
7 also wear it to the beach or to a swimming pool.

8 Q. How often does a water leg prosthetic need to
9 be changed out?

10 A. I want to say it's similar, maybe five to
11 seven years.

12 Q. Is it the same thing with relation to
13 maintenance, still requires yearly maintenance?

14 A. Sockets still would need to be changed, the
15 liners and socks would need to be changed.

16 Q. So liners and socks changed every six months?

17 A. Yes.

18 Q. Sockets replaced every two to three years?

19 A. Yes.

20 Q. That's for the rest of his life?

21 A. Yes.

22 Q. If we can move on to wheelchairs and scooters.
23 So it's your opinion that Mr. Parks is going to
24 need wheelchairs and scooters in the future; is
25 that correct?

1 A. Yes.

2 Q. I guess what type of wheelchairs and scooters
3 and why.

4 A. So Mr. Parks did use a manual wheelchair when
5 he first had the amputation briefly. But, again,
6 there are going to be times that he can't wear his
7 prosthesis because of a skin problem or some other
8 problem with pain or joint problem, or the
9 prosthesis may be broke and needs to be in for
10 repair. So typically he would need to have a basic
11 wheelchair available.

12 The scooter becomes more of an issue, again,
13 as he ages with the amputation because the energy
14 cost of walking in the community becomes so much
15 more. So it's not unreasonable starting at, I
16 believe, age 60 is what we recommended that he look
17 into power mobility such as a scooter for
18 distances.

19 Q. Do you make those same recommendations for
20 your own patients?

21 A. Yes.

22 Q. Why don't we move, go on to the next bullet
23 point emergency care.

24 So it's your opinion that Mr. Parks is going
25 to need emergency care in the future?

1 A. Yes.

2 Q. What do you mean when you say emergency care?

3 A. So over the -- again, his life expectancy of
4 40-plus years, he has had falls. He's fallen
5 before. We said it's going to be more of an issue
6 as he ages. So emergency room visits may be
7 necessary for him should he fall and have an injury
8 related to the use of his prosthetic, so that's
9 typically what we mean.

10 Or should he develop a skin infection, let's
11 say that causes a fever or an open wound, he may
12 need to be seen in the emergency room.

13 Q. Why don't we move on to the next bullet point.
14 We are almost done. We are getting there.

15 The next bullet point, interventions and
16 surgical procedures. I will just briefly go
17 through the whole list. Neuroma scar injections
18 muscle reinnervation with neuroma resection,
19 resection of heterotopic bone and spinal cord
20 stimulator.

21 So these are all recommendations that you have
22 made for his future; is that correct?

23 A. Yes. So the spinal cord stimulator we
24 recommend it at trial. That's a device that is
25 implanted into the spine and it can be localized to

1 control pain in certain areas of the body. It's
2 being used with success with patients with both
3 phantom limb pain, as well as residual limb pain.

4 We mentioned the heterotopic bone resection
5 already.

6 Muscle reinnervation is the way to deal with
7 the neuromas that form in the limb. Again, he
8 continues to have pain. He's using medical
9 marijuana at this point.

10 But, you know, some of these options may be
11 things that may allow him to use less medication if
12 he is willing to consider them in the future. But
13 they are surgical procedures.

14 And then the neuroma or scar injections, if
15 you can localize an area in the scar that is
16 particularly painful, you can inject it with
17 something that will kill that nerve ending. It
18 doesn't get rid of all the neuromas in the limb,
19 though.

20 Q. But a neuroma, slash, scar injection may
21 provide some pain relief?

22 A. Yes.

23 Q. Is that something you recommend for your own
24 patients?

25 A. Yes. And it's something I do, as well.

1 Q. When was the last time you did a neuroma or
2 scar injection?

3 A. I did two of them last week.

4 Q. Briefly, can you explain what is involved with
5 the muscle reinnervation with neuroma resection?

6 A. So it's bigger surgery, but it's a more
7 effective treatment than doing the scar injections
8 or neuroma injections. So they go up higher on the
9 sciatic nerve and they actually cut the nerve where
10 the neuromas form, let that die off, and then they
11 bury the end of the nerve that is left into a
12 muscle so that the nerve, which keeps trying to
13 grow and find a new home, finds a home.

14 What they have been finding with this
15 procedure, they call it TMR, targeted muscle
16 reinnervation, in cases where they are doing it at
17 the time of the amputation, they are seeing people
18 who never have phantom pain. When it's done later
19 for nerve pain, phantom limb pain, it's about
20 50 percent statistically what they're seeing in
21 terms of improvement.

22 But, again, if we can localize a particular
23 neuroma and get that resected and buried, it can be
24 very helpful in terms of pain.

25 Q. The resection of heterotopic bone, you already

1 briefly discussed what that procedure entails,
2 right?

3 A. Yes.

4 Q. The spinal cord stimulator, so that is
5 something you said you recommend first on a trial
6 basis. What do you mean by that?

7 A. So what they will do at the University where
8 we tend to do them, they will implant the leads
9 into the patient's spine on a trial basis and see
10 if it provides pain relief. If it does, then they
11 actually implant the stimulator with the battery
12 pack.

13 Q. And then if it's -- if you reached the next
14 level after a trial, is it permanent?

15 A. Then it's implanted, it's permanent. The
16 battery has to be replaced, I believe, every eight
17 to ten years.

18 Q. And is that something you have recommended for
19 your own amputee patients?

20 A. Yes.

21 Q. Why?

22 A. As I mentioned before, I mean, treating
23 amputee pain can be very challenging. It often
24 requires multiple ways to attack it and approach
25 it. And for people who failed a lot of other

1 interventions, it is something that is very
2 helpful.

3 Q. Let's move on to the final bullet point.

4 Home health aide services, starting at age 60.

5 So you recommend that Mr. Parks needs a home
6 health aide when he reaches 60.

7 A. Yes.

8 Q. Why?

9 A. Well, again, Mr. Parks lives by himself. I'm
10 assuming at some point his son who stays with him
11 sometimes will not be there. He is going to need
12 more assistance with things with his own self-care.
13 As things like cooking, doing his laundry, as he
14 develops more of these compensatory overuse
15 problems with aging.

16 Q. Is that something you recommended for your own
17 patients?

18 A. Yes, it is.

19 Q. Do you have an idea of how many hours a day he
20 would require it?

21 A. I believe I recommended six to eight, starting
22 at age 60.

23 Q. Does that change at all as he gets older?

24 A. Six to eight hours daily for life expectancy,
25 starting at age 60. As he ages, this would

1 increase to 10 to 12 hours, beginning at age 70 and
2 afterward.

3 Q. Why would it -- why do you feel it would
4 increase when he reaches age 70?

5 A. Because, again, people's mobility in general
6 becomes more of a problem as they age, and with him
7 aging with an amputation, it compounds that.

8 Q. Mr. Parks right now is a young 32. What do
9 you see happening to him if he's in his 60s and
10 doesn't have home health aide services in the home?

11 A. Well, he will be at more risk for injury. He
12 may need to move into some type of assisted living
13 if he can't live independently. So it's a way to
14 try to keep him living at home.

15 THE COURT: Counsel, where are we
16 with respect to reaching a conclusion on this
17 witness and the witness is going to have to be
18 offered for cross-examination, as well?

19 MR. STROKOVSKY: Sure.

20 THE COURT: I want to take a
21 five-minute quick break. There is a lot of
22 information that you're being presented with.
23 I'd like you to keep you as fresh as we can.
24 So Mike will escort you out and maybe stretch,
25 if not, take a comfort break, as I call it.

1 We will see you in about five
2 minutes. Thank you very much for your
3 attention.

4 (Jury exits courtroom 3:28 p.m.)

5 THE COURT: So I'm looking at the
6 clock. I call this time management. I don't
7 mean to interrupt anyone's presentation of
8 evidence. When do you think you will be able
9 to get to a conclusion of the substantive
10 evidence and reach an opinion that you're
11 going to, I guess, posit to the jury, when
12 will that happen?

13 MR. STROKOVSKY: Hopefully within 15
14 minutes.

15 THE COURT: Okay. And so, again, I
16 understand that there is some vagaries to it,
17 but be mindful. I do want to have your
18 witness offered for cross-examination today
19 and I, therefore, need you to give a
20 conclusion that the jury will have to consider
21 during that cross-examination. So let's get
22 that done.

23 I hate to hold you over, Doctor, for
24 another day, and some of us are volunteers,
25 some of us have to be here. I apologize, we

1 are trying to be as efficient as we can and
2 being fair at the same time.

3 (Brief recess.)

4 (Jury enters courtroom at 3:38 p.m.)

5 THE COURT: Thank you very much,
6 ladies and gentlemen.

7 My plan, as I promised you before
8 when we first met, is a hard stop at five to
9 get you back on your way home. The witness is
10 going to continue with her testimony. We may
11 begin the cross-examination by the defendant
12 before five, but in any event, that's our game
13 plan.

14 We will meet again first thing at
15 nine o'clock. When you're all here and ready
16 to go, we will get started right away. As
17 always, the attorneys and the Court will
18 continue to work when you're not here to
19 continue to make this as fair and as efficient
20 as we can.

21 Thank you again, Counsel. You may
22 continue direct examination.

23 MR. STROKOVSKY: Thank you, Your
24 Honor.

25

1 BY MR. STROKOVSKY:

2 Q. So now that we discussed your recommendation
3 for Mr. Parks to have a home health aide when he
4 turns 60. Did you generally provide us all of your
5 recommendations for future care that you believe
6 Mr. Parks needs over the course of his life because
7 of his amputation?

8 A. Yes.

9 Q. And all of the conditions and diagnoses you
10 and I generally covered that, as well, including
11 everything we went over that was listed in Exhibit
12 P-43 listing out those conditions; is that correct?

13 A. Yes.

14 Q. And those are all conditions that you believe
15 he has as a result of the amputation; is that
16 correct?

17 A. Yes.

18 Q. With the exception of the debridement
19 procedures, which preceded that, correct?

20 A. That's correct.

21 Q. In your work as a physiatrist, do you review
22 work capabilities of your patients?

23 A. Yes, I do.

24 MR. HOSMER: Objection. Same basis
25 as before.

1 THE COURT: I will overrule it with
2 the limitation I already advised plaintiff's
3 counsel.

4 BY MR. STROKOVSKY:

5 Q. Are you aware of what job Eddie Parks had
6 before his amputation?

7 A. Yes.

8 MR. HOSMER: Objection. Same basis.

9 THE COURT: Overruled.

10 THE WITNESS: It was a certified
11 nursing assistant.

12 BY MR. STROKOVSKY:

13 Q. Do you have a general understanding of the
14 physical requirements for that job?

15 A. Yes.

16 Q. What are some of those physical requirements?

17 A. So as certified nursing assistant, depending
18 on where you're working, if it's a skilled nursing
19 facility, which is a commonplace that they work, or
20 a hospital, you're responsible for helping the
21 patients with their bathing, their toileting,
22 feeding them, if necessary, walking them if they
23 need to be walked, transferring them from bed to
24 chairs, from bed to wheelchair, for example. Those
25 are some of the tasks. You would have to get them

1 dressed. So all of those things fall under
2 certified nurse assistants.

3 Q. Do you think Eddie can meet those physical
4 requirements today?

5 MR. HOSMER: Objection. Same basis.

6 THE COURT: I hope it's a different
7 basis.

8 Sustained.

9 BY MR. STROKOVSKY:

10 Q. Are you familiar with the physical
11 requirements involved with cooking?

12 A. Yes.

13 Q. Do you feel that or do you have an opinion one
14 way or another if Mr. Parks physically can do the
15 tasks involved with cooking for long periods of
16 time?

17 MR. HOSMER: Objection. Same basis.

18 THE COURT: Overruled.

19 THE WITNESS: So the big issue with
20 Mr. Parks is, yes, he cooks some meals for
21 himself, for his son. That's a very different
22 situation than somebody who is cooking for a
23 job, who is working in a restaurant, who is
24 working even in a food truck with a high-paced
25 clientele. They have to be able to turn

1 quickly and in close quarters. They have to
2 be able to bend, lift, be distracted while
3 they're doing other tasks. There is grease.
4 There is water on the floors. So there is
5 again a high fall risk. So it's physical
6 labor, as well as the potential fall risk.

7 Could somebody do it on a short-term
8 basis? Possibly. But if we are looking at a
9 lifetime of work, he's physically not going to
10 be capable of doing that long term.

11 BY MR. STROKOVSKY:

12 Q. Does Mr. Parks have any physical limitations
13 with lifting things?

14 A. So there are weight limitations on the
15 computerized knee. So the maximum weight you can
16 have on that prosthesis is a total of 300 pounds.
17 So he's 200, approximately, now. So he couldn't
18 ever lift anything over more than a hundred. It
19 could break the prosthesis.

20 But, again, it's more of a matter of could he
21 do that on a repetitive basis. You know, he has
22 issues with carrying things and worrying about
23 whether he is going to fall or not, that becomes an
24 issue when you're trying to be cooking, lifting
25 heavy pots and moving things on stoves where there

1 is burning flames.

2 Q. Would your discussion earlier about limited
3 endurance, does that come into play, as well, with
4 his physical limitations?

5 A. That comes into play, as well.

6 Mr. Parks also uses medical marijuana, which
7 is an issue for most employers in terms of passing
8 drug testing.

9 Q. Do you think Mr. Parks' physical limitations
10 will improve, stay the same or get worse as he
11 ages?

12 A. They will get worse as he ages. So the goal
13 for Mr. Parks is to find a job that he would be
14 capable of doing over the long --

15 MR. HOSMER: Objection. Again, this
16 is --

17 THE COURT: Counsel, there is not a
18 claim for wage loss in this case?

19 MR. STROKOVSKY: There is not.

20 THE COURT: I want to be clear that
21 your testimony that you're asking this witness
22 be not portrayed as having any basis of a
23 claim for wage loss. The prospective
24 employment either.

25 MR. STROKOVSKY: Yes. It only goes

1 toward the noneconomic damages --

2 THE COURT: That's all you need to
3 say. So the jury understands what I'm saying
4 there is not a job loss claim in this. You
5 can continue with that understanding.

6 MR. STROKOVSKY: Sure.

7 BY MR. STROKOVSKY:

8 Q. You reviewed another exhibit that lists out
9 some risks of future complications into the future
10 for Mr. Parks; is that correct?

11 A. Yes.

12 Q. And that essentially just took the findings in
13 your report and put them in an outline form; is
14 that correct?

15 A. Yes.

16 MR. STROKOVSKY: Mr. Bitman, if you
17 can show Dr. Miknevich P-45. Show it to
18 Mr. Hosmer, as well, please.

19 MR. HOSMER: Okay.

20 THE COURT: No objection. You may
21 publish.

22 MR. STROKOVSKY: Thank you, Your
23 Honor.

24 If you can publish it, Mr. Bitman.
25

1 BY MR. STROKOVSKY:

2 Q. We will be really quick about this because we
3 covered most of this.

4 So it's your opinion, Dr. Miknevich, that in
5 the future, Mr. Parks --

6 MR. HOSMER: Objection; leading.

7 THE COURT: We are on direct and
8 also some of this has already been gone over
9 in detail. Ask a direct question that the
10 witness can answer without you including the
11 answer in the question.

12 MR. STROKOVSKY: Okay.

13 BY MR. STROKOVSKY:

14 Q. Dr. Miknevich, do you have an opinion as to
15 any potential complications that Mr. Parks may have
16 as he ages?

17 A. Yes.

18 Q. What are those?

19 A. So as I have them listed, things such as skin
20 breakdown, degenerative changes in the left leg,
21 worsening back pain, overuse problems with his arms
22 and continued weight loss or weight gain may
23 require him to have more frequent socket
24 replacements than what we have. He may need more
25 medical care than what we planned for, depending on

1 the severity of these things.

2 MR. STROKOVSKY: Take that down.

3 Thank you.

4 BY MR. STROKOVSKY:

5 Q. Dr. Miknevich, did you have the opportunity to
6 read any reports by the defense expert of this
7 case, Dr. Sarlow?

8 A. I did.

9 Q. Generally speaking, did you have agreements or
10 disagreements with his reports?

11 A. I think there were some things we agreed upon
12 and some things we did not.

13 Q. I don't need you to go into an exhaustive list
14 of agreements and disagreements, but, generally
15 speaking, could you -- would you be able to point
16 out to some things that you agree with and some
17 things you may disagree with?

18 A. So I don't have his report here to comment
19 point by point. But I think we pretty much agreed
20 on prosthetics, what he would need in the future --

21 MR. HOSMER: Objection.

22 THE COURT: Overruled.

23 THE WITNESS: Where we tended to
24 disagree was in terms of things such as him
25 needing help in the home, him needing a

1 scooter in the future or a wheelchair. I
2 think he projected one wheelchair for the rest
3 of his life, which wheelchairs wear out. They
4 don't last forever.

5 He also said that Mr. Parks could do
6 any type of occupation that he wanted to,
7 which I disagree with. Again, the goal here
8 is to find work that is meaningful for Mr.
9 Parks that he can do over a lifetime of work
10 history, not something that he could do short
11 term.

12 I will give you examples of that --

13 MR. HOSMER: Objection. We are
14 going into this whole job thing.

15 THE COURT: Doctor, just so you
16 know, there is not a claim here for wage
17 losses, job-related wage losses. So if you
18 can still be responsive to the question, give
19 your opinion without relying upon expectation
20 of future jobs. Does that make sense?

21 THE WITNESS: Yes.

22 MR. STROKOVSKY: If I may, Your
23 Honor, just to clarify.

24 THE COURT: I don't like
25 clarification. What are you asking me to do

1 in English?

2 MR. STROKOVSKY: Mr. Parks not
3 working or missing out on work that does go
4 towards his pain and suffering.

5 THE COURT: It also goes to his
6 life's pleasures, but the expert should not
7 opine about future jobs other than the
8 physical limitations of his alleged injuries.
9 Does that clarify?

10 MR. STROKOVSKY: Perfectly clear.
11 Thank you, Your Honor.

12 THE COURT: You may continue,
13 Doctor.

14 THE WITNESS: So the point that I
15 wanted to make there is that when we look at
16 patients who have amputations, traumatic
17 amputations of the lower extremities, it's
18 very frequent that they have to change jobs or
19 that they don't return to work at all. And
20 that's just statistics.

21 There was a statement that Mr. Parks
22 is likely to never fall either with or without
23 his prosthesis. Mr. Parks has fallen. He's
24 had near falls. Amputees fall. I think over
25 the course of the next 40-plus years, it's

1 highly unrealistic to expect that Mr. Parks
2 will never have another fall.

3 Home health aide, the comment was
4 made there was no need for that in the
5 foreseeable future. Again, we are talking age
6 60 and upward. We are not talking about now.

7 BY MR. STROKOVSKY:

8 Q. Your opinions and recommendation that you made
9 in court today, have you discussed those with life
10 care plan expert Alex Karras?

11 A. Yes, I have.

12 Q. How many times?

13 A. Multiple.

14 Q. You conveyed your opinions as to Mr. Parks'
15 condition and diagnosis to him?

16 A. Yes, I have.

17 Q. And you relayed your recommendations for
18 future medical care and treatment?

19 A. Yes. Yes, I did.

20 Q. Which is the same medical care and treatment
21 that we have discussed here today?

22 A. Yes.

23 Q. And did you review Alex Karras' reports?

24 A. Yes, I did.

25 Q. Did you agree or disagree with his reports?

1 MR. HOSMER: Objection. I don't
2 know her scope of expertise and her report.

3 THE COURT: Overruled.

4 MR. STROKOVSKY: I will rephrase.

5 BY MR. STROKOVSKY:

6 Q. After reviewing Mr. Karras' reports, do you
7 agree or disagree with what is in his reports?

8 A. I signed the verification that I agreed with
9 what was in the life care plan.

10 Q. His reports accurately convey your
11 recommendations?

12 A. They do.

13 Q. And he provided a recent report after
14 considering your recent report, correct?

15 A. Yes.

16 Q. And, again, his report accurately conveys your
17 most recent recommendations?

18 A. Yes.

19 Q. So we talked about Mr. Parks' condition as it
20 relates to his amputation. We talked about your
21 recommendations for his future care and we talked
22 about future complications that he may have in the
23 future, correct?

24 A. Yes.

25 Q. That's a nutshell summary, it's not

1 everything. But all the opinions and findings and
2 recommendations that you have made in court today,
3 have they all been made to a reasonable degree of
4 medical certainty?

5 A. Yes, they have.

6 MR. STROKOVSKY: I have no further
7 questions. Thank you.

8 THE COURT: Thank you, Counsel.

9 Counsel you may inquire.

10 MR. HOSMER: Thank you, Your Honor.

11 - - -

12 CROSS-EXAMINATION

13 - - -

14 BY MR. HOSMER:

15 Q. Good afternoon, Doctor. I'm Chandler Hosmer.
16 I represent Dr. Lorei. I have some questions for
17 you, ma'am.

18 A. Yes.

19 Q. About a minute or two ago I wrote down what
20 you said to the jury; that Mr. Parks may need more
21 than what is planned is what you told them. Do you
22 remember saying that?

23 A. Yes.

24 Q. Similarly, the inverse of that would also be
25 true. He may need less than what has been planned,

1 correct, because you're looking into the future?

2 A. That's correct.

3 Q. Now, Doctor, you wrote, I think, three
4 reports, correct?

5 A. I wrote two reports.

6 Q. Two reports.

7 I have one from May 1, one from March 23 and
8 one from April 13.

9 A. The one from May 1 is just I reviewed some
10 additional records and did not change my opinion.

11 Q. Understood. But three reports together; all
12 right.

13 But we will talk about the two main ones, the
14 one from -- you wrote one on April 13 of 2021, and
15 another one on March 23, 2023, correct?

16 A. Yes.

17 Q. Do you have those reports with you, ma'am?

18 A. I do.

19 Q. You would agree with me that those reports are
20 a complete and accurate recitation of your opinions
21 and factual basis of those opinions?

22 A. Yes.

23 Q. And the recipients of those reports are stated
24 on those reports, specifically, Mr. Strokovsky; is
25 that correct?

1 A. Yes.

2 Q. And the medical records listed in those
3 reports is a complete recitation of all the medical
4 records that you not only reviewed, but that you
5 are aware of; is that correct?

6 A. That's correct.

7 Q. Now, you are not Mr. Parks' treating
8 psychiatrist, correct?

9 A. I'm not.

10 Q. His treating psychiatrist is Bradley Tucker?

11 A. That's correct.

12 Q. And Dr. Tucker is a Board certified
13 psychiatrist, correct?

14 A. Yes.

15 Q. He's at Penn, correct?

16 A. Yes.

17 Q. And he's been managing Mr. Parks' care since
18 2019, for the past basically four years, correct?

19 A. Yes.

20 Q. And you would agree with me that based on your
21 review of records, Dr. Tucker saw Mr. Parks three
22 times in 2021 and three times in 2022?

23 A. I would have to count the number of visits,
24 but that sounds about right.

25 Q. You will accept my representation?

1 A. Yes.

2 Q. And then, I believe, it's two times in 2023,
3 correct?

4 A. Yes.

5 Q. So he has seen the patient and laid hands and
6 eyes on the patient significantly more than you
7 have, correct?

8 A. Yes.

9 Q. Because you saw the patient in 2020, correct?

10 A. Yes.

11 Q. And then about three weeks ago -- no, I'm
12 sorry. In February there was a Zoom teleconference
13 with Mr. Parks, correct?

14 A. Yes.

15 Q. You're located in Pittsburgh?

16 A. Yes.

17 Q. Mr. Parks and Dr. Tucker are located in
18 Philadelphia, correct?

19 A. Yes.

20 Q. And you would agree with me there is a great
21 number of Board certified physiatrists in this
22 area, correct? You agree?

23 A. I would agree.

24 Q. Now, when you did see him in 2020, I assume
25 you had to travel here to see him from Pittsburgh,

1 correct?

2 A. I did.

3 Q. I'm sorry?

4 A. I did.

5 Q. When you saw him for the first time in
6 February of 2020, he did not have the sophisticated
7 advanced C-leg that he has now, correct?

8 A. That's correct.

9 Q. I forget what you call that leg, what was that
10 called, the first one?

11 A. A preparatory.

12 Q. A mechanical one?

13 A. Yes.

14 Q. Thank you.

15 And you would agree with me there is nothing
16 in your report indicating that you sent these
17 reports to his managing physiatrist, Dr. Tucker,
18 correct?

19 A. No, I did not.

20 Q. You never discussed with Dr. Tucker, because
21 it's not in your report, any of your
22 recommendations, feelings, diagnoses or anything
23 else, correct?

24 A. That's correct.

25 Q. Dr. Tucker doesn't even know you exist, does

1 he?

2 A. I have no idea.

3 Q. Now, if we could, Doctor, can we go to page
4 10, because I want to go through just a little bit
5 of history.

6 A. Which report, sir?

7 Q. April 13, 2021. Just go through some of the
8 history pertaining to Mr. Parks as you set forth in
9 your report.

10 Let me know when you're there, please.

11 A. I'm here.

12 Q. This report was written by you, signed by you,
13 correct?

14 A. Yes.

15 Q. And it's based on your review of records, as
16 well as your evaluation of Mr. Parks, correct?

17 A. Yes.

18 Q. Now, you write that Mr. Parks saw a Dr. Meta
19 on July 1 of 2019. Am I correct about that?

20 A. Yes.

21 Q. Dr. Meta was a pain management doctor,
22 correct?

23 A. Yes.

24 Q. That's the one and only time that Mr. Parks
25 had seen a pain management physician in his entire

1 life, correct?

2 A. I know that he saw Dr. Meta. I don't know how
3 many times he saw Dr. Meta.

4 Q. You reviewed Dr. Meta's report, correct?

5 A. Yes, I did.

6 Q. Would you agree with me the last time he saw
7 him was July 16, 2019?

8 A. I would be guessing.

9 Q. Okay. Well, you don't reference any other
10 date of him seeing Dr. Meta in your report; is that
11 correct?

12 A. No.

13 Q. Is that correct?

14 A. That's correct. He did not see him after he
15 started with Dr. Tucker.

16 Q. And in your report, you note, you talk about
17 the encounter with Dr. Meta on page 10, the second
18 full paragraph, where you say that it was noted by
19 Dr. Meta that Mr. Parks had stated that he had not
20 followed up with any rehabilitation doctors or pain
21 management. Do you see that line, ma'am?

22 A. Yes, I do.

23 Q. So what you're saying there is between the
24 time that he left Temple and the time he saw in
25 July of 2019, he had not seen any doctors, correct,

1 according to your review of the records?

2 A. That's correct.

3 Q. And at that time in July of 2019, which would
4 be six months after the amputation, he was
5 currently at that time on no pain medication,
6 correct?

7 A. That's correct.

8 Q. Now, if you turn it page 12 of your report,
9 please.

10 Mr. Parks saw another physiatrist in
11 Philadelphia by the name of Dr. Lenrow?

12 A. Yes.

13 Q. Saw him on two occasions, August 22 and
14 September 16 of 2019, correct, ma'am?

15 A. That's correct.

16 MR. HOSMER: Can you pull up Exhibit
17 5, page six just for us?

18 THE COURT: P as in Paul five?

19 MR. HOSMER: Exhibit 5, page six.

20 Do you have any objection to the
21 medical record there?

22 MR. STROKOVSKY: Just that page, no.

23 THE COURT: Any objection?

24 MR. STROKOVSKY: No objection, Your
25 Honor.

1 THE COURT: Thank you.

2 You may publish.

3 MR. HOSMER: You showed me the wrong
4 page. I need page six.

5 THE COURT: Any objection to page
6 six? What are we looking at now?

7 MR. HOSMER: Exhibit 5, page six.

8 THE COURT: Counsel, any objection?

9 MR. STROKOVSKY: Small print, Your
10 Honor, one second, please.

11 MR. HOSMER: Mr. Strokovsky, I will
12 draw her attention to the next to last line.

13 THE COURT: So the question is, any
14 objection to the document, not any particular
15 part of it, being published?

16 MR. STROKOVSKY: No, Your Honor.

17 THE COURT: All right.

18 You may publish then.

19 MR. HOSMER: If you can slow it,
20 please.

21 MR. STROKOVSKY: Actually, Your
22 Honor, I do object. I object. I apologize.
23 Sidebar, Your Honor.

24 THE COURT: No. Overruled.

25 MR. STROKOVSKY: I couldn't see it

1 because it was small print, I apologize. If
2 he zooms in on just the specific line --

3 THE COURT: The document -- is the
4 document subject to an objection? It's a
5 medical record that has been produced in this
6 case.

7 MR. STROKOVSKY: Yes.

8 THE COURT: That's overruled.

9 MR. STROKOVSKY: We have a standing
10 agreement, Your Honor --

11 THE COURT: That's different.

12 MR. HOSMER: I will take care of
13 that.

14 THE COURT: Do we have an agreement
15 or not?

16 MR. HOSMER: I do. I will make
17 doubly sure.

18 THE COURT: Bear with me, ladies and
19 gentlemen.

20 MR. HOSMER: I think we have it
21 worked out right now.

22 MR. STROKOVSKY: If that's all he is
23 showing, sure.

24 THE COURT: So the answer is no
25 objection?

1 MR. STROKOVSKY: If he's only
2 showing one line, I have no objection.

3 THE COURT: You may proceed to
4 publish.

5 BY MR. HOSMER:

6 Q. Doctor, being very careful, and appropriately
7 so, I had Tim just highlight the line from Dr.
8 Lenrow's medical chart from August 26, 2019. Do
9 you see what it says there, ma'am?

10 A. Yes.

11 Q. Denies difficulty with ambulation?

12 A. Yes.

13 Q. "Ambulation" meaning walking, correct?

14 A. Yes.

15 Q. If we go to page 12 of your report, you talk
16 about the visit of September 16, 2019, and you're
17 reciting from Dr. Lenrow's records again with
18 respect to the September 16, 2019. Do you see that
19 third paragraph, second line?

20 A. Yes.

21 Q. It says there, He's had no falls and was
22 experiencing no pain on that date.

23 Did I read that correctly?

24 A. That's correct.

25 Q. Now, are you aware of any trips or vacations

1 that Mr. Parks has taken?

2 A. I was aware from his deposition that he had
3 taken a trip to Atlantic City, I believe.

4 Q. He also took one to Las Vegas, correct?

5 A. I don't have that information.

6 Q. You don't remember that one; okay. We will
7 deal with that later.

8 Moving on to page 14 of your report, ma'am.

9 On this page you're dealing with the August 5,
10 2020, visit that Mr. Parks had with Dr. Tucker,
11 correct, up at the top, he has the K3
12 microprocessor knee and he's a K3 walker. Would
13 you agree with that, Doctor?

14 A. We are on page 14; is that correct?

15 Q. Page 14, yes.

16 Would you agree with me he's a K3 walker?

17 A. I don't see where it says he's a K3 walker.

18 Q. I apologize. I'm kind of misleading you a
19 little bit. I apologize for that.

20 If you go to page 13 of your report, last
21 paragraph, next to last line -- I'm sorry, second
22 line, says, At the estimated functional level as a
23 K3?

24 A. Right. He has the ability or potential for
25 ambulation.

1 Q. That's as of August 5, 2020, that's
2 Dr. Tucker's opinion that you reviewed, correct?

3 A. Yes.

4 Q. And if I repeat Mr. Strokovsky, I apologize,
5 K3, there is K levels, there is zero through four.
6 Am I right about that?

7 A. That's correct.

8 Q. Zero being complete inability to walk and --
9 oh, I reviewed it with you earlier -- and K4
10 meaning high impact, high energy?

11 A. Right.

12 Q. Mr. Parks, at least as of August 5, 2020,
13 according to Dr. Tucker, estimated his functional
14 level at K3 correct?

15 A. Again, with an explanation. So what he had
16 said was that he estimated his function that he had
17 the ability or the potential to be a K3.

18 So as a physician, a physician can estimate
19 based on their evaluation of the patient what they
20 think they will be capable of doing even if they're
21 not doing it at that point.

22 Q. But it even turned out to be correct, because
23 he's a K3, right?

24 A. He is K3, yes.

25 Q. At the time Dr. Tucker stated this, Mr. Parks

1 had his first mechanical prosthesis, correct? He
2 didn't have the state-of-the-art, sophisticated one
3 that he has now, correct?

4 A. Well, again, at that point he was saying that
5 he had the potential to be a K3. He ordered a K3
6 prosthesis on that basis. He didn't say that he
7 was a K3.

8 Q. My question was just a little bit different.

9 When he estimated him at the K3 and said what
10 he did about the August 5, 2020, office visit with
11 Dr. Tucker, Mr. Parks had not yet received his leg
12 C-3 leg, correct?

13 A. That's correct.

14 Q. He got that in late 2020, correct?

15 A. That's correct.

16 Q. It was also noted as of that time, that he was
17 jogging on a treadmill -- again, I'm sorry, page
18 14, didn't mean to mislead you, fourth paragraph?

19 A. That's in the note, yes.

20 Q. This is Dr. Tucker, this is you quoting
21 Dr. Tucker, correct?

22 A. Yes.

23 Q. Jogging on a treadmill, as well as using a
24 stationary bike, correct?

25 A. Yes.

1 Q. And, then, also, with respect to Dr. Tucker's
2 office visit of August 5 of 2020, Dr. Tucker noted
3 on that date that the patient was not taking any
4 medications, correct?

5 A. That's correct.

6 Q. Do you see that line 22 of the -- that would
7 include pain medication, correct?

8 A. Yes.

9 Q. And you mentioned that --

10 A. Can I make a comment? Higher up, if you go up
11 higher to the third photograph, he did continue
12 medical cannabis treatment for pain management.
13 That's in the same note.

14 Q. I didn't see that, but thank you for pointing
15 that out.

16 But, again, there is no over-the-counter or
17 prescription pain medications being used as of
18 August 5, 2020, correct?

19 A. No.

20 Q. I'm incorrect?

21 A. No, he was not using any other pain medication
22 at that point, just the medical cannabis.

23 Q. Both over the counter and prescription,
24 correct?

25 A. It was noted that he did not receive any

1 relief with other medications, including Ansaid,
2 Tylenol or neuropathics.

3 Q. I didn't ask you that, ma'am. I'm asking what
4 Dr. Tucker said on August 5, 2020.

5 Isn't it true that he said that the patient
6 was not taking any medications?

7 A. That's what he said.

8 Q. And that would include over-the-counter, as
9 well as prescription medications, correct?

10 A. But the reason was, was because they didn't
11 work.

12 Q. Did you hear me ask you about that?

13 MR. STROKOVSKY: Objection.

14 THE COURT: Counsel, just ask
15 another question then.

16 MR. HOSMER: Okay.

17 BY MR. HOSMER:

18 Q. Doctor, going back to your testimony about the
19 K3 and potential that he had in Dr. Tucker's
20 opinion in August of 2020, the reason why
21 Dr. Tucker wanted him to have the C-3 leg was
22 because he had the potential to become a full K3
23 walking and traversing, I think what they called
24 environmental barriers, that we referred to before,
25 correct?

1 A. Yes.

2 Q. Now, moving on to -- moving away from the
3 history for a moment and talking about Mr. Parks'
4 future needs, one of the intents of these reports
5 that you produced was to give the jurors and me,
6 advise me ahead of time of what you believe Mr.
7 Parks' requirements were for future medical care,
8 correct?

9 A. Yes.

10 Q. You used the word "requirements" in both your
11 April 13 and your March 23 reports, correct, with
12 respect to what he would need in the future?

13 A. Can you tell me what page?

14 Q. Sure. Page four of your April 13 report, top
15 line says, Mr. Parks will require ongoing medical
16 and rehabilitative care.

17 A. Yes.

18 Q. And he will require access to a combination of
19 medical health specialists, correct?

20 A. Yes.

21 Q. Now, for example, in your March 23 report, you
22 noted that the plaintiff will require a pain
23 management specialist, and you state in your report
24 that Mr. Karras relied upon that that he would need
25 a pain management specialist, a Board certified

1 pain management specialist one time every three
2 months for the rest of his life, correct?

3 A. Yes.

4 Q. And then at page six of your March 23 report,
5 you state that he will require formal physical and
6 occupational therapies four times a year for the
7 rest of his life, correct?

8 A. Yes.

9 Q. And you intended Mr. Karras to rely on that in
10 his reports; is that right?

11 A. Yes.

12 Q. And you would agree with me it would be
13 inappropriate to extrapolate or predict medical
14 care that wasn't really needed, correct? It's to
15 be done to reason -- it should be stated to a
16 reasonable degree of medical certainty, correct?

17 A. And those opinions were based on within a
18 reasonable degree of medical certainty.

19 Q. So, now, let's go to your report of April 13,
20 2021, please.

21 In your April 13, 2021, report, you state that
22 in your opinion, Mr. Parks will require pain
23 management evaluations four times per year for the
24 rest of his life, correct?

25 A. That's assuming that they would be taking over

1 his medication management.

2 Q. I'm just reading from the report, ma'am. It
3 says just that, correct?

4 A. That's what it says on the report.

5 Q. And then you go on to state he will need
6 physical and occupational therapies four times per
7 year for the rest of his life, correct?

8 A. That's correct.

9 Q. And you state lumbar epidural steroid
10 injections three times a year for the rest of his
11 life for -- as of the year 2021, correct?

12 A. Can you tell me what page you're on?

13 Q. I'm sorry, I apologize, page 28.

14 MR. STROKOVSKY: Of what report?

15 MR. HOSMER: The first one, the one
16 we are sticking with April of 2021 for now.

17 THE WITNESS: So what my statement
18 actually says is that he would require a
19 series of three lumbar epidural steroid
20 injections with repeat series yearly as
21 determined by his pain management physician
22 based on his response. I did not say that he
23 would need them three times a year.

24 BY MR. HOSMER:

25 Q. Let's look at that for a minute. Maybe I

1 misunderstood you.

2 You write, It's anticipated Mr. Parks will
3 require a series of three lumbar epidural steroid
4 injections related to his diagnosis of lumbar
5 radiculopathy with repeat series of three lumbar
6 injections on a yearly basis for life expectancy.

7 Correct?

8 A. As determined by the pain management physician
9 based on response.

10 Q. Okay.

11 A. So if he didn't respond, he would not need
12 them.

13 Q. But if he did, he would need them?

14 A. Yes.

15 Q. And, then, finally, on page 27, you talk about
16 the neuroma scar injections, correct, and that was
17 one time a year, I believe one time a year for the
18 rest of his life?

19 A. It was another one that said he would need at
20 least one occasion with additional injections on a
21 yearly basis based on his response to this
22 treatment.

23 Q. Right. One time a year?

24 A. But only if it was helpful.

25 Q. Okay.

1 So let's take a look at what has happened in
2 the meantime, because these were given to a
3 reasonable degree of medical certainty, correct?

4 A. Yes.

5 Q. Now, you would agree with me, according to all
6 the records that you reviewed, Mr. Parks has not
7 seen a pain management specialist four times a year
8 in 2021, 2022 or 2023, correct?

9 A. He has not.

10 Q. And you would agree with me, then, that your
11 prediction was that he was going -- it was going to
12 be necessary as of April of 2021, turned out to be
13 incorrect, that predictive requirement didn't take
14 place, correct?

15 A. That did not take place.

16 Q. And Dr. Tucker never recommended that Mr.
17 Parks see a pain management specialist four times a
18 year, correct?

19 A. Dr. Tucker did not. Dr. Lenrow did.

20 Q. I asked you about Dr. Tucker, the man that has
21 been managing him for the past four years.

22 A. Dr. Lenrow was the physician that started
23 treating him and turned him over to Dr. Tucker.

24 Q. Let's stick to my question, if you don't mind,
25 please.

1 Dr. Tucker, who has been managing Mr. Parks
2 since 2019, never recommended a pain management
3 specialist four times a year, correct?

4 A. That's correct.

5 Q. So, ma'am, doesn't the fact, and not
6 withstanding the fact that Dr. Tucker didn't
7 recommend it, and Mr. Parks didn't have the four
8 times a year with a pain management specialist,
9 doesn't that -- you nevertheless in your subsequent
10 report of March of 2023 again recommend that,
11 correct?

12 A. Yes, I did.

13 Q. Now, doesn't the fact that Dr. Tucker didn't
14 suggest it at any time to his patient, and Mr.
15 Parks never went to get -- pain management
16 specialists four times a year, doesn't that suggest
17 to you that perhaps he may not need one in the
18 future?

19 A. When I talked to Mr. Parks the last time, the
20 reason he did not go back to pain management was he
21 was worried about having any additional procedures.
22 But he had indicated that he was willing to rethink
23 that.

24 Q. But the fact of the matter is having
25 recommended it in April of 2021, and Dr. Tucker not

1 stating it was needed, Mr. Parks, apparently
2 feeling it wasn't needed, you nevertheless in April
3 of 20 -- in March of 2023, are telling this jury
4 that he needs it four times tumors a year, correct?

5 A. So, again, Dr. Tucker is handling his pain
6 medication. So he is seeing him the four times a
7 year instead of twice a year as a physiatrist would
8 be seeing him. But if he were not involved in his
9 care, he would need a pain management physician.

10 Q. You would have to agree with me, I believe,
11 Doctor, that you said it was a requirement that he
12 see a pain management specialist four times a year.
13 He hadn't seen one for four times a year in 2019,
14 2020, 2021, 2022, and 2023, correct?

15 A. But he has seen a pain physician in between
16 there. He has not seen one consistently.

17 Q. The last time he saw a pain management
18 physician, we can keep going over this, was,
19 Doctor --

20 THE COURT: Just ask a question.

21 MR. HOSMER: Okay.

22 BY MR. HOSMER:

23 Q. In 2019, correct?

24 A. It was a Dr. Ashburn.

25 Q. I think it was Dr. Gupta was the last pain

1 management person that he saw.

2 MR. HOSMER: Let's try it a
3 different way.

4 BY MR. HOSMER:

5 Q. You would agree with me that Dr. Tucker has
6 not ever recommended that the patient see a pain
7 management specialist four times a year, correct?

8 A. That's correct.

9 Q. And you would agree with me that Mr. Parks has
10 not seen a Board certified pain management
11 specialist for the past three years, correct?

12 A. He saw Dr. Ashburn in 2019.

13 Q. Okay.

14 Thank you for that clarification.

15 So, again, Mr. Parks has not seen a pain
16 management specialist not only not four times a
17 year, but at all since 2019, correct?

18 A. That's correct.

19 Q. So if this jury were to conclude that history
20 was going to repeat itself into the future, because
21 none of us know what the future is, if they were to
22 conclude that because Dr. Tucker never recommended
23 it and Mr. Parks didn't get it four times a year,
24 if the jury were to conclude that is not something
25 that would be needed in the future, that should be

1 removed from their consideration as it pertains to
2 future medical care, correct?

3 A. Could you repeat the question?

4 Q. It was a little convoluted.

5 You would agree, would you not, if this jury
6 were to conclude, based on Dr. Tucker's care and
7 treatment, and based on Mr. Parks' conduct, that
8 history would repeat itself and Mr. Parks would not
9 require a pain management specialist and the jury
10 should not be considering that in their evaluation
11 of what is fair and adequate compensation, correct?

12 A. I would agree, but I have to make a comment.
13 So my comment is this also occurred during the peak
14 of the COVID pandemic when you couldn't get an
15 appointment. Even Dr. Tucker was seeing the
16 patient via telemedicine. It's very difficult to
17 assess somebody thoroughly under telemedicine.

18 Q. But we know for a fact he didn't even try to
19 make an appointment or have one via telemedicine,
20 correct?

21 A. I don't know that he didn't try to make an
22 appointment.

23 Q. It's not in your report, though, is it?

24 A. No, it's not.

25 Q. And you took an in-depth evaluation and

1 history from Mr. Parks, correct?

2 A. We talked about it at his most recent
3 evaluation in March.

4 Q. You would expect him to tell you if he saw a
5 pain management specialist. I mean, if he had seen
6 one, he would have mentioned it?

7 A. At that time he told me Dr. Tucker was
8 handling his medical marijuana.

9 Q. But he never reported to you seeing a pain
10 management specialist even one time, correct?

11 A. That's correct.

12 Q. With respect to the physical therapy and
13 occupational therapy, again, if I read your report
14 correctly, you were saying that that would be
15 necessary as of April of 2021, four times a year,
16 correct?

17 A. That's correct.

18 Q. And you would agree with me, would you not,
19 that not only has Mr. Parks not had physical
20 therapy in 2021, 2022, 2023, four times a year, he
21 hasn't had it at all, correct?

22 A. That's correct.

23 Q. And Dr. Tucker hasn't recommended it, correct,
24 on a formal four times a year basis, correct?

25 A. Well, Dr. Tucker is planning to order physical

1 therapy once he gets his new socket. He has had a
2 lot of issues with socket fit during that time.
3 And he did not order physical therapy, you are
4 correct.

5 Q. He did not order it, correct?

6 A. That's correct.

7 Q. Mr. Parks didn't get it, so then it leads me
8 to the same question again. Inasmuch as Dr. Tucker
9 didn't recommend it, the man who has been managing
10 him for four years, and Mr. Parks didn't go see
11 one, four times a year, you nevertheless in your
12 report of March of 2023, again, say it's a
13 requirement, correct?

14 A. Again, that requirement is based on looking at
15 his 44-year future life expectancy. The remainder
16 of that sentence talks about dealing with future
17 issues, new prosthetics and future compensatory use
18 issues.

19 Q. I'm sorry, I don't see that here. I'm looking
20 at page four of your report. It says, Physical
21 therapy, four to six times for life expectancy and
22 occupational therapy -- that's consultations, I'm
23 sorry.

24 The next line down, physical therapy and
25 occupational therapy, four times per year for life

1 expectancy.

2 A. To address exacerbations, complications and
3 self-care assessments as Mr. Parks ages with a
4 disability.

5 Q. That was your predictive requirement as of
6 April of 2021, correct?

7 A. That's correct.

8 Q. And that predictive requirement did not come
9 to pass, did it?

10 A. Not during that period of time, but this is
11 again over his life expectancy, anticipate four
12 times a year, four visits per year.

13 Q. Between the time of your report of April 13 of
14 2021, and your report of March 23 of 2023, two
15 years gap, Mr. Parks did not have physical therapy
16 or occupational therapy, didn't have it at all let
17 alone four times per year, correct?

18 A. He did not have it at all.

19 Q. So your predictive requirement was incorrect,
20 right, for that --

21 A. I disagree with that. It wasn't incorrect.
22 It's based on four times a year. What that
23 averages out to over his entire lifetime. So there
24 may be years that he didn't get any. There may be
25 years that he needs 12 or 20 sessions.

1 Q. I see.

2 It doesn't say that here, though, does it?

3 A. It says four times a year, which is what gets
4 costed out in the life care projection, is that
5 total cost. It's four visits over the course of a
6 year.

7 Q. Yeah?

8 A. Times however many years his life expectancy
9 is.

10 Q. Right.

11 I'm looking right here, Doctor. It says
12 physical/occupational therapy four times per year
13 for life expectancy, correct?

14 A. Yes.

15 Q. And he did not have any physical therapy in
16 that two-year period from the time you predicted it
17 in April of 2021, as a requirement up to and
18 including today, correct?

19 A. Correct. But I, again, I think you're
20 confusing what I'm saying.

21 Q. I'm simply going by what you wrote in your
22 report and what Mr. Karas will have to rely on when
23 he comes in here tomorrow.

24 A. Mr. Karras is going to rely on a certain
25 number of therapy sessions over the course of 44

1 years. So four times 44, whatever that comes out
2 to. That's what he will be anticipating. The fact
3 that he doesn't get any doesn't mean that he may
4 not need twice as much or three times as much in
5 the future years.

6 Q. The inverse is also true. The fact that he
7 didn't get it the prior two years that when you
8 predicted he would need it, this jury could
9 conclude from that that he may not need it in the
10 future, correct, at a rate of four times per year?

11 A. Again, semantics, because my understanding was
12 that I'm looking at a certain number of sessions
13 over the course of his life, averaging out to four
14 over per year, not that he would need four every
15 single year.

16 Q. That's not what it says, though, does it,
17 ma'am? That's not what Mr. Karras is going to be
18 reading, correct?

19 MR. STROKOVSKY: Objection.

20 THE COURT: Sustained.

21 BY MR. HOSMER:

22 Q. Would you agree with me, Doctor, that if the
23 jury were to conclude that on the basis of
24 Dr. Tucker's lack of recommendations and Mr. Parks'
25 determination not to get physical therapy and

1 occupational therapy at a rate of four times per
2 year over the past two years, if this jury were to
3 conclude that that is not a reasonable expectation,
4 then that too should be removed from their
5 consideration?

6 MR. STROKOVSKY: Objection.

7 THE COURT: Sustained.

8 MR. HOSMER: Let's move on to
9 another one.

10 Neuroma scar injections on page 28.

11 BY MR. HOSMER:

12 Q. In April of 2021 -- I think it's page 27,
13 actually.

14 Local neuroma scar injections on at least one
15 occasion with additional injections on a yearly
16 basis for life expectancy based on his response to
17 this treatment.

18 So what you're saying there is that if he
19 gets -- if one is recommended by a pain manager and
20 he would get it, if that was determined to be
21 efficacious, he could get more, correct?

22 A. That's correct.

23 Q. But since he hadn't seen a pain manager, no
24 one has recommended, including Dr. Tucker, that he
25 get neuroma scar injections, correct?

1 A. That's correct.

2 Q. And, again, if the jury were to conclude that
3 this was not something that he would need in the
4 future, it should be removed from their
5 consideration, correct?

6 A. That's correct.

7 Q. Lumbar injections, I think you prognosticated
8 in your report of April of 2021, at a rate of three
9 times per year. Did I get that right?

10 A. Can I ask what page you're on?

11 Q. Sure. Page 26.

12 A. Page 26, I have prosthetics and supplies and
13 wheelchairs.

14 Q. Let me check, please.

15 I wrote down the wrong page number.

16 Would you agree with me, though, that your
17 report from April of 2021, predicts a requirement
18 of epidural injections at the rate of three times a
19 year?

20 A. We discussed this previously. It says that he
21 would require a series of three lumbar epidural
22 injections with a repeat series on a yearly basis,
23 as determined based on his response.

24 So, again, if he did not show any improvement,
25 those would be removed, and, in fact, we removed

1 them from my later report because he was not
2 complaining of radiculopathy when I saw him last.

3 Q. And there it is. I apologize for taking time
4 to find it.

5 Mr. Parks will require a series of three
6 lumbar epidural injections related to his diagnosis
7 with three repeat series of three lumbar injections
8 on a yearly basis for life expectancy.

9 So when you say on yearly basis --

10 A. Based on response. You left that part of the
11 sentence off.

12 Q. Based on response.

13 But before he can have a response, he's got to
14 get the injections, right?

15 A. He would get one set of injections.

16 Q. Which --

17 A. But yearly injections. One set if he didn't
18 respond, he wouldn't get more.

19 Q. You would agree with me that he's never gotten
20 a lumbar injection, correct?

21 A. That's correct.

22 Q. And Dr. Tucker hadn't recommended one, has he?

23 A. No, he has not.

24 Q. So if the jury were to conclude that on the
25 basis of Mr. Parks not getting the injections and

1 the absence of a recommendation by Dr. Tucker, then
2 this, too, would be something that would not be
3 appropriate for consideration by the jury, correct?

4 MR. STROKOVSKY: Objection.

5 THE COURT: Overruled.

6 You may answer the question, if you
7 can.

8 THE WITNESS: Can you repeat the
9 question?

10 MR. HOSMER: Sure.

11 BY MR. HOSMER:

12 Q. If the jury were to conclude on the basis of
13 an absence of recommendation by Dr. Tucker, and the
14 absence of Mr. Parks getting the lumbar epidural
15 injections, if the jury were to conclude that this
16 was not something he would need in the future, then
17 that should be removed from their consideration,
18 correct?

19 A. That's correct. And, as I said, we removed
20 this from my last recommendations.

21 Q. I saw that. You took it out. Very
22 interesting you had it in your April report and
23 then you took it out in March, correct?

24 MR. STROKOVSKY: Objection to form.

25 THE COURT: It's cross-examination.

1 No. Overruled.

2 THE WITNESS: It was in 2021, in a
3 report. He was complaining of
4 radiculopathy-type symptoms. He had an EMG
5 that showed findings of radiculopathy. When I
6 talked to him subsequent to that, he was not
7 complaining of radicular pain when I spoke to
8 home most recently and evaluated him. And
9 that's why it was removed from the plan.

10 BY MR. HOSMER:

11 Q. This kind of points out the difficulties a
12 physiatrist like yourself have because you're asked
13 to predict something in the future. Sometimes it
14 may come to pass and sometimes it may not, correct?

15 A. That's correct.

16 Q. What happened in this case was you predicted,
17 as a requirement, that those injections would be
18 necessary in April of 2021, but by March of 2023,
19 it's no longer a requirement, correct?

20 A. That's correct.

21 Q. Now, the same thing could happen with respect
22 to just, for example, sticking with the spinal cord
23 stimulator. That's something you prognosticated,
24 as well?

25 A. I prognosticated a trial.

1 Q. A trial that, again, if successful, the
2 implantation of a spinal cord stimulator, correct?

3 A. That's correct.

4 Q. But, again, if like the lumbar injections, it
5 becomes unnecessary because he doesn't have back
6 pain or the back pain is minimal, then, again, that
7 would be removed from consideration by both Mr.
8 Karras and the jury, correct?

9 A. That's correct.

10 Q. And tell me if this would be fair to conclude,
11 that inasmuch as the predictive requirements for
12 the pain management specialist did not turn out as
13 of April of 2021 to be correct, inasmuch as the
14 neuroma scar injections were not done, and inasmuch
15 as the lumbar injections were removed from
16 consideration by you as of March of 2023, they
17 were, so to speak, near-term predictions, this was
18 something you prognosticated that would be needed
19 between April of 2021 and March of 2023, correct?

20 A. No. Again, these recommendations are based on
21 his life on the future.

22 Q. But we have already established, I believe,
23 that your prognostication or predictive
24 requirements, as you call them in your report, for
25 example, the pain management specialist four times

1 a year, was something that you had predicted would
2 be necessary as of April of 2021, and thereafter
3 continuing, correct?

4 A. That's correct.

5 Q. And would it be fair to conclude that inasmuch
6 as some of what you predicted as a requirement in
7 the near term was incorrect, it wouldn't be
8 unreasonable to say, well, some of those things
9 that you're predicting for future may also be
10 incorrect. Am I right about that?

11 A. I disagree that they're incorrect.

12 Q. Let's just assume hypothetically if there are
13 some. For example, the pain management, we will
14 just take one.

15 A. Near-term prediction is incorrect.

16 Q. Now we will take two. We will take the pain
17 management specialist age. We will take the lumbar
18 epidural injections that you actually removed from
19 your March 2023 report --

20 A. You're combining two things. I will answer
21 one.

22 Q. All right. We will take one. We will do the
23 pain management specialist.

24 A. Okay. At this time Dr. Tucker is --

25 Q. Wait until I finish my question, please.

1 Inasmuch as the predicted requirement as of
2 April of 2021, for a pain management specialist to
3 be seen four times a year, beginning in April of
4 2021, inasmuch as that didn't take place as
5 near-term prediction, wouldn't it be fair to
6 conclude that maybe some of the future predictions
7 out 40 years for hospital beds and the like could
8 possibly also be incorrect?

9 A. I think that the question is difficult to
10 answer because they're completely different things.

11 Q. Let's go back to the second question I asked
12 you then when the cross-examination started.

13 You had said in your direct examination, that
14 Mr. Parks may need more care than what is planned.
15 Then I followed it up on cross-examination and you
16 agreed that he may also need less care than what
17 had been planned by you, correct?

18 A. That's correct.

19 THE COURT: Are we able to move
20 along, Counsel?

21 MR. HOSMER: I am, Your Honor.

22 BY MR. HOSMER:

23 Q. Orthopedic evaluations, you said he needed one
24 every five years?

25 A. Yes.

1 Q. And he hadn't had one since he left Temple,
2 correct?

3 A. He has not.

4 Q. X-rays, page 24 of your April report, you say
5 he needs x-rays one time every five years, correct?

6 A. Yes.

7 Q. You would agree with me that the last time he
8 had x-rays performed was in July of 2019?

9 A. That's correct.

10 Q. And Dr. Tucker has not recommended that he
11 undergo any x-rays since that time, correct?

12 A. Dr. Tucker has not ordered any x-rays.

13 Q. And Mr. Parks has not had any, correct?

14 A. That's correct.

15 Q. Now, one of the things you mentioned as one of
16 the components of his chronic pain syndrome was
17 back pain. Do you remember that testimony, ma'am?

18 A. Yes.

19 Q. And that was an important consideration in
20 your opinion to the jury, that he is experiencing
21 chronic back pain -- chronic pain syndrome,
22 correct?

23 A. Yes.

24 Q. You reviewed the records from Allied
25 Orthotics; is that correct?

1 A. Yes, I did.

2 Q. And you saw -- you would agree with me that at
3 no time did Mr. Parks ever complain of back pain to
4 Allied Orthotics or to Dr. Tucker during the entire
5 time they have been taking care of him, correct?

6 A. I don't know that I could answer that without
7 reviewing those records again.

8 Q. Would you except my representation that having
9 reviewed the records, and you reviewed the records,
10 do you recall seeing anything about back pain being
11 documented by either Dr. Tucker or by Allied
12 Orthotics, two medical providers that he's seen in
13 the past two years?

14 A. I would be guessing.

15 Q. So you don't know?

16 A. I don't know.

17 MR. HOSMER: Tim, bring up, please,
18 Exhibit 4, page 58, but, again, just for
19 counsel, please.

20 MR. STROKOVSKY: Do you plan to blow
21 up one certain part?

22 MR. HOSMER: No, the whole thing.

23 MR. STROKOVSKY: May I confer?

24 THE COURT: Briefly. We are running
25 out of daylight here.

1 The parties have agreed to a portion
2 of the publication. Is that what is
3 happening?

4 MR. HOSMER: Yes. The bottom part
5 of the page.

6 THE COURT: By agreement, right?

7 MR. HOSMER: Yes.

8 BY MR. HOSMER:

9 Q. Doctor, what I have had Tim show you is one of
10 the pages from the chart of Allied Orthotics. As
11 you can see in the bottom of the page, it's dated
12 June 3, 2021; do you see that?

13 A. Yes.

14 Q. You reviewed the Allied Orthotics chart,
15 correct?

16 A. Yes.

17 Q. And we agree that Allied Orthotics and Dr.
18 Tucker are the only two medical providers that Mr.
19 Parks has seen in 2021 and 2022, correct?

20 A. That's correct.

21 Q. And would you agree with me that as of June 3,
22 2021, Allied Orthotics notes about back pain, N,
23 meaning no, correct?

24 A. So, again, this is a checklist completed by a
25 prosthetist, by a limb maker. I question the

1 medical validity of the answers.

2 Q. Are you telling this jury that Allied
3 Orthotics would write something -- deliberately
4 write something incorrect in the chart?

5 A. What I'm saying is that I work with
6 prosthetists on a daily basis. They're not
7 physicians. They don't know how to assess some of
8 these conditions.

9 Q. Again, if Mr. Parks reported that he didn't
10 have back pain and it was documented -- let me ask
11 you in a different way --

12 A. I would be guessing that because I have no
13 idea who filled this out, how it was filled out.
14 It's just a checklist form. There is no narrative
15 substance to go with this.

16 Q. You reviewed it and you relied upon it,
17 correct?

18 A. I reviewed it.

19 Q. You would agree with me that either Mr. Parks
20 reported to the prosthetist or the prosthetist
21 observed that Mr. Parks had no back pain as of
22 June 3 of 2021, correct?

23 A. I don't know how that was filled out. I would
24 be guessing if Mr. Parks told him or he looked it
25 up or he had a secretary in the office fill out the

1 form. I have no idea who filled out that form.

2 Q. But we know it's a medical record, correct?

3 A. It's a prosthetist's record, yes.

4 Q. It's just as important that a prosthetist
5 record be accurate and correct as it would be yours
6 or Dr. Tucker's, correct?

7 A. Yes.

8 Q. Let's go to page 82 and do the same thing,
9 just the bottom third of the page, Tim, please.

10 MR. HOSMER: Are we okay,
11 Mr. Strokovsky?

12 MR. STROKOVSKY: Yes.

13 BY MR. HOSMER:

14 Q. Here, again, approximately a year later, Mr.
15 Parks is again seeing the prosthetist and once
16 again the prosthetist notes there is no back pain,
17 correct?

18 A. That's correct.

19 Q. So back to my original question.

20 You would agree with me that Mr. Parks has
21 never reported to any medical provider or
22 prosthetist in 2021, 2022, or 2023, back pain,
23 other than to you, correct?

24 A. I would need to review the additional records
25 of Dr. Tucker again to see if they specifically

1 mention back pain. This looks like it was the same
2 form that was copied again.

3 Q. We can at least agree that you will probably
4 be back here tomorrow. You will overnight --

5 THE COURT: That's certainly a
6 question I could ask.

7 MR. HOSMER: I won't be
8 presumptuous, I'm sorry.

9 THE COURT: That's all right. I
10 want to accommodate not only the parties and
11 the jury, but we are at the end of the day and
12 how much of this field are you going to plow
13 again on this issue?

14 MR. HOSMER: What am I going to do?

15 THE COURT: Plow the field.

16 MR. HOSMER: I certainly have more
17 than ten minutes.

18 THE COURT: Just out of deference to
19 the jury, I will release you now because it
20 sounds like we are going to have pretty
21 substantial cross-examination of this witness
22 yet to come. I don't know.

23 But in any event, nine o'clock I
24 will see you all. Remember, please no
25 research. Don't discuss the case with anyone

1 and keep an open mind until you hear
2 everything in the courtroom.

3 So thank you very much. You have a
4 wonderful evening.

5 (Jury exits courtroom at 4:51 p.m.)

6 THE COURT: Doctor, you will be
7 excused for the day. Unfortunately or
8 fortunately, we will see you again tomorrow.

9 THE WITNESS: Okay.

10 THE COURT: Other than the
11 completion of the cross of the doctor and
12 possible redirect, what do we have lined up
13 for tomorrow? Have you shared that with each
14 other?

15 MR. STROKOVSKY: Yes.

16 MR. HOSMER: Yes.

17 THE COURT: We have a full day?

18 MR. STROKOVSKY: Yes. We have two
19 experts, but they should be a lot quicker. We
20 will have the remaining fact witnesses, but I
21 don't anticipate each fact witness being that
22 long, unless there is a long cross.

23 THE COURT: You will get final joint
24 points for charge and a verdict slip to me,
25 please. And I would recommend to preserve

1 your record, docket them, as well, in case
2 there are any disputes in the future.

3 We will be ready to go at nine. I
4 appreciate your cooperation and working
5 together, and it really is as a compliment to
6 your clients and the jury. So thank you
7 again.

8 Everyone is excused.

9 (Court adjourned at 4:53 p.m.)

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

